

UNITED STATES BANKRUPTCY COURT
WESTERN DISTRICT OF NORTH CAROLINA
CHARLOTTE DIVISION

In re

ALDRICH PUMP LLC, *et al.*,

Debtors.

ARMSTRONG WORLD INDUSTRIES, INC.
ASBESTOS PERSONAL INJURY
SETTLEMENT TRUST *et al.*,

Plaintiff(s),

v.

ALDRICH PUMP LLC, *et al.*

Defendant(s).

AC&S ASBESTOS SETTLEMENT TRUST,
COMBUSTION ENGINEERING 524(G)
ASBESTOS PI TRUST, GI HOLDINGS INC.
ASBESTOS PERSONAL INJURY
SETTLEMENT TRUST, GST SETTLEMENT
FACILITY, KAISER ALUMINUM &
CHEMICAL CORPORATION ASBESTOS
PERSONAL INJURY TRUST, QUIGLEY
COMPANY, INC. ASBESTOS PI TRUST T H
AGRICULTURE & NUTRITION, L.L.C.
ASBESTOS PERSONAL INJURY TRUST, and
YARWAY ASBESTOS PERSONAL INJURY
TRUST,

Petitioners,

v.

ALDRICH PUMP LLC and MURRAY BOILER
LLC,

Respondents,

Chapter 11

Case No. 20-30608 (JCW)

(Jointly Administered)

Miscellaneous Pleading

No. 22-00303 (JCW)

(Transferred from District of Delaware)

Miscellaneous Pleading

No. 23-00300 (JCW)

(Transferred from District of New Jersey)



VERUS CLAIM SERVICES, LLC,

Interested Party,

NON-PARTY CERTAIN MATCHING
CLAIMANTS,

Interested Party.

DECLARATION OF MICHAEL A. KAPLAN, ESQ.

I, **Michael A. Kaplan, Esq.**, hereby declares under penalty of perjury:

1. I am a Partner at the law firm Lowenstein Sandler LLP, and counsel for the eight third-party asbestos settlement trusts identified below¹.
2. Attached hereto as **Exhibit A** is a true and correct copy of Dr. Abraham J. Wyner's expert report, dated April 25, 2023.
3. Attached hereto as **Exhibit B** is a true and correct copy of the transcript from the May 8, 2023 deposition of Dr. Charles Mullin.

Dated: May 15, 2023

s/ Michael A. Kaplan

Michael A. Kaplan, Esq.

¹ The eight trusts are: (i) ACandS Asbestos Settlement Trust; (ii) Combustion Engineering 524(g) Asbestos PI Trust; (iii) G-I Holdings Inc. Asbestos Personal Injury Settlement Trust; (iv) GST Settlement Facility; (v) Kaiser Aluminum & Chemical Corporation Asbestos Personal Injury Trust; (vi) Quigley Company, Inc. Asbestos PI Trust; (vii) T H Agriculture & Nutrition, L.L.C. Asbestos Personal Injury Trust; and (viii) Yarway Asbestos Personal Injury Trust.

EXHIBIT A

**UNITED STATES BANKRUPTCY COURT
WESTERN DISTRICT OF NORTH CAROLINA
CHARLOTTE DIVISION**

<p>In re</p> <p>ALDRICH PUMP LLC, <i>et al.</i>,¹</p> <p>Debtors.</p>	<p>Chapter 11</p> <p>Case No. 20-30608 (JCW)</p> <p>(Jointly Administered)</p>
<p>ARMSTRONG WORLD INDUSTRIES, INC. ASBESTOS PERSONAL INJURY SETTLEMENT TRUST <i>et al.</i>,</p> <p>Plaintiff(s),</p> <p>v.</p> <p>ALDRICH PUMP LLC, <i>et al.</i></p> <p>Defendant(s).</p>	<p>Miscellaneous Pleading</p> <p>No. 22-00303 (JCW)</p> <p>(Transferred from District of Delaware)</p>
<p>AC&S ASBESTOS SETTLEMENT TRUST, COMBUSTION ENGINEERING 524(G) ASBESTOS PI TRUST, GI HOLDINGS INC. ASBESTOS PERSONAL INJURY SETTLEMENT TRUST, GST SETTLEMENT FACILITY, KAISER ALUMINUM & CHEMICAL CORPORATION ASBESTOS PERSONAL INJURY TRUST, QUIGLEY COMPANY, INC. ASBESTOS PI TRUST T H AGRICULTURE & NUTRITION, L.L.C. ASBESTOS PERSONAL INJURY TRUST, and YARWAY ASBESTOS PERSONAL INJURY TRUST,</p> <p>Petitioners,</p> <p>v.</p>	<p>Miscellaneous Pleading</p> <p>No. 23-00300 (JCW)</p> <p>(Transferred from District of New Jersey)</p>

¹ The Debtors are the following entities (the last four digits of their respective taxpayer identification numbers follow in parentheses): Aldrich Pump LLC (2290) and Murray Boiler LLC (0679). The Debtors' address is 800-E Beaty Street, Davidson, North Carolina 28036.

ALDRICH PUMP LLC and MURRAY BOILER
LLC,

Respondents,

VERUS CLAIM SERVICES, LLC,

Interested Party,

NON-PARTY CERTAIN MATCHING
CLAIMANTS,

Interested Party.

EXPERT REPORT OF ABRAHAM J. WYNER, PH.D.

I. INTRODUCTION & QUALIFICATIONS

1. I am a Tenured Full Professor of Statistics and Data Science at University of Pennsylvania's Wharton School. I am also the Chair of the University's Undergraduate Program in Statistics. I also co-direct the Wharton People Analytics Initiative and the Wharton Sports Analytics and Business Initiative.

2. I completed my undergraduate education magna cum laude at Yale University with a Bachelor of Science in Mathematics in 1988. I then earned my Ph.D. in Statistics from Stanford University in 1993.

3. My conclusions in this report are based on my more than 25 years of professional and academic experience in the relevant field of statistics. During this time, I have worked with many large intersecting data sets (including asbestos trusts) and I am familiar with the complexities involved in extracting the data that is needed to do an analysis. My research interests have been broad. I have published across many methods and applications including Applied Probability, Information Theory, Mathematical Analysis of Algorithms, Machine Learning, Applied Statistical Analysis, and Bayesian Hierarchical Modeling.

4. I am being compensated at a rate of \$1,000 per hour for my efforts in connection with the preparation of this report. My compensation is in no way contingent on the results of this or any other proceeding. I have no financial interest in the outcome of this matter.

II. SCOPE OF MY REPORT

5. I have been asked by counsel for the DCPF Trusts², the Delaware Claims Processing Facility, LLC, the Verus Trusts³, and Verus Claims Services, LLC, to respond to the Declaration of Charles H. Mullin, Ph.D.⁴, submitted in support of Aldrich Murray LLC and Murray Boiler LLC's (the "Debtors") Motion for Rehearing, regarding the relative cost/benefits of sampling versus a full population census of the 12,000 at-issue claimants. I will opine on the accuracy and sufficiency of a sample of 1,200 claimants (10% of total population) for reasonable purposes.

6. As described in detail below, it is my opinion that a random 10% sample of 1,200 claimants would fulfill all of the Debtors' reasonable needs. My opinion and others described herein reflect my evaluation of the sources listed in Exhibit A to this report. I expressly reserve the right to modify, amend, and/or supplement my opinions expressed herein to respond to any arguments made by the Debtors directly, or through the testimony of its experts, in response to my opinions expressed herein, or to consider any new evidence that becomes available.

² The DCPF Trusts are the Armstrong World Industries, Inc. Asbestos Personal Injury Settlement Trust; The Babcock & Wilcox Company Asbestos PI Trust; Celotex Asbestos Settlement Trust; DII Industries, LLC Asbestos PI Trust; Federal-Mogul Asbestos Personal Injury Trust; Flintkote Asbestos Trust; Owens Corning / Fibreboard Asbestos Personal Injury Trust; Pittsburgh Corning Corporation Asbestos Personal Injury Settlement Trust; United States Gypsum Asbestos Personal Injury Settlement Trust; and WRG Asbestos PI Trust.

³ The Verus Trusts are ACandS Asbestos Settlement Trust; Combustion Engineering 524(g) Asbestos PI Trust; G-I Holdings Inc. Asbestos Personal Injury Settlement Trust; GST Settlement Facility; Kaiser Aluminum & Chemical Corporation Asbestos Personal Injury Trust; Quigley Company, Inc. Asbestos PI Trust; T H Agriculture & Nutrition, L.L.C. Asbestos Personal Injury Trust; and Yarway Asbestos Personal Injury Trust.

⁴ Declaration of Charles H. Mullin, Ph.D., No. 22-mc-303 (JCW) (Dkt. No. 55) (the "Mullin Declaration").

7. If called to testify, I may also explain principles and terminology referred and alluded to in this report, as well as any documents referenced herein. I may also use demonstrative exhibits, animations, and other such testimonial aids in support of my testimony to illustrate the bases of my opinion.

III. DR. MULLIN'S DECLARATION

8. Dr. Mullin's declaration is fundamentally an analysis that compares the costs of sampling (a potential increase in analysis time for recipient of data and loss of accuracy) to its benefits (reduction in privacy risk and lowering of administrative costs for provider). Most of the report is an attempt to downplay the privacy risks and emphasize a potential loss in accuracy, while attempting to downplay the contradictory, pro-samplings arguments made in the *Bestwall* case⁵ by his colleague at Bates White, Jorge Gallardo-García, Ph.D., who clearly states that sampling is sufficient. At no point does Dr. Mullin quantify the potential loss of accuracy. He implies the loss is substantial enough to justify the costs without explanation, calculation, or quantification of any kind.

IV. SUMMARY OF MY OPINIONS

9. It is my opinion that a random sample that is large (10%), weighted or stratified towards larger settlement values, would be practically and materially no less accurate than a full census of the approximately 12,000 claimants in the targeted population. Such a sample has already been discussed in the *Bestwall* Declaration, which does not identify any attribute of the population that cannot be accurately studied with a sample. The Debtors have further proposed a

⁵ Declaration of Jorge Gallardo-García, PHD, *In re Bestwall LLC*, Bankr. No. 17-31795 (LTB) (Dkt. No. 2183) (the "*Bestwall* Declaration").

variation of that sampling design here, which they acknowledge provides a “reliable cross-section” of the targeted population.⁶

10. Consequently, there would be no practical or material benefit to requiring the production of the full population. In addition, there is a risk of an inadvertent dissemination of highly confidential data. The likelihood of such breach may be small, but the damage would be large if it occurred. If only 10% of the target population is produced, the damage in the resulting data breach to the individual claimants can be expected to be 10 times smaller because it would involve 10 times fewer claimants.

V. DISCUSSION

A. The accuracy of sampling versus a full census

11. Let me begin with an analogy. In the sport of football, it is generally regarded that taller quarterbacks are advantaged over shorter quarterbacks, if all other attributes are the same. Therefore, when drafting a quarterback, an NFL team has to consider height among the many considerations. If they were comparing two potential picks, one who is 6 feet and 1.00 inch (exactly) tall and another who is 6 feet and 0.99 inches tall, they would consider their heights to be practically and materially the same, even though it is technically true that there is a 0.01 inch difference in height. When comparing them, height would not be considered at all and only the other attributes would be discussed and weighed to make the determination. Similarly, when discussing samples of various sizes, it can often happen that there is no practical or material advantage gained with the larger dataset.

⁶ Dec. 19, 2022 Email from Morgan R. Hirst (the “December Sampling Proposal”). It is my understanding that, since the Debtors made the December Sampling Proposal, the Debtors nearly reached agreement with the Official Committee of Asbestos Personal Injury Claimants and the Future Claimants’ Representative on a sampling proposal. I cannot opine specifically on this sampling proposal as it was not provided to the DCPF Trusts, the Delaware Claims Processing Facility, LLC, the Verus Trusts, or Verus Claims Services, LLC.

12. Dr. Mullin emphasizes that smaller samples can be less accurate than larger samples⁷, but he does not address the central question at issue here: *is a large, efficient 10% sample, materially and practically equivalent to a complete census?* As explained below, the answer to this question is yes – a 10% sample, as a practical matter, is just as good as a full census for the purposes described by Dr. Mullin and the Debtors’ reasonable needs.

13. The starting point for this analysis requires an understanding of what can make a sample inaccurate. Samples are most familiar in matters that involve polling and surveys. These samples are indeed frequently deficient and inaccurate, but not because they are too small. The typical samples seen and discussed in the media suffer from “sampling bias.”⁸ They have characteristics that are invariably different from the population in key ways. But sampling bias is not an issue here, since the population is enumerable and identifiable. In other words, all the claimants in the Debtors’ database are known.

14. In fact, a trained statistician with access to an enumerated list of individuals in a targeted population can easily create a sample that makes optimal use of the data. Such a design was already proposed in the *Bestwall* Declaration, and a variation of that design was proposed by the Debtors here in the December Sampling Proposal.⁹

⁷ Mullin Decl., ¶ 10.

⁸ Sampling bias occurs when subjects with different attributes have different and unknown chances of inclusion in the sample.

⁹ The sample set forth in the *Bestwall* Declaration and the Debtors’ December Sampling Proposal are of a stratified design, where samples of different sizes are taken from a large number of categories (called strata). Another approach, known as weighted sampling, would weight the probability of inclusion in the sample according to a specific attribute. For example, claimants can be included with probability in direct proportion to their settlement value. This “weighted” approach can be highly efficient and simple to analyze. It also requires fewer arbitrary decisions that may go into defining strata.

B. A random 10% sample fulfills all of the Debtors' reasonable needs

15. With an unbiased sample, it is possible to measure the precision of a sample when there is a specific characteristic of the population (called a “parameter”) that is the subject and purpose of the data analysis. Dr. Mullin does not specify precisely the parameter that he or the Debtors intend to measure. But he does sketch the general ideas:

Specifically, the data would allow us to compare exposure allegations to the products of the reorganized entities for which the trusts were established with the exposures those same claimants disclosed in their tort litigation against the Debtors. This would enable us to *quantify the proportion of alternative exposures* disclosed to the Debtors at the time of settlement.¹⁰

Thus, the first parameter of interest is a proportion of claimants that failed to disclose alternative exposures.

16. When the parameter of interest is a proportion (which is a percentage between 0% and 100%), then the equivalent sample proportion is an “estimate” of the parameter. The accuracy of an estimate is measured using the laws of probability theory, by calculating the “standard error” of the estimate, which is defined to be the typical¹¹ difference between the sample proportion and the population proportion.

17. For example, if the true population proportion of claimants that have undisclosed alternative exposures is 5%, and the sample proportion of the same quantity is 4% then the difference is called the sampling error, which in this example is 1%. The standard error quantifies this difference in frequency terms. For example, if the true population proportion were 10% and the standard error were 1% then most samples (about 2/3 of samples) would have a sample proportion between 9% and 11% and it would be very unusual (about 5% of samples) for the

¹⁰ Mullin Decl., ¶ 16 (emphasis added).

¹¹ The standard error is the standard deviation of the difference between the sample proportion and the population proportion, where the variation is caused by sampling.

sample proportion to be greater than 12% or less than 8%. This means that any attribute that the whole population has will be mirrored closely in the population. If the population proportion is 10%, the sample proportion is very likely to be very close to 10%. If the population has a proportion of 2%, the sample proportion will be very close to 2%.

18. One of the most useful formulas in statistics, tells us that, for a simple random sample, the standard error of a sample proportion is at most $\frac{1}{2\sqrt{n}}$, where n is the sample size.¹² Thus, a simple sample of 1,200 drawn from a population of 12,000 (10% of the total) has a standard error that is *less than 1.5%*. This means that, whatever the true percentage of claimants that failed to disclose alternative exposures, the results from a simple random sample of 10% of the population would likely be within 1.5% of the true population proportion.

19. It is common to double the standard error to be extra sure about the range of possible values. So in the case of a simple random sample of size 1,200, we can be nearly certain that the true population proportion is within 3% of the number that is calculated from the sample. If there is a practical purpose for this data that requires more accuracy than this, it has never been disclosed or argued, certainly not by Dr. Mullin.

20. In practice, however, the standard error for a simple sample of 1,200 observations (10% of the total) will usually be a lot smaller than 1.5%. If the true population proportion were 5%, then the standard error would be less than 0.6%. A stratified sample (like the methodologies proposed in the *Bestwall* Declaration and the December Sampling Proposal) can even be more efficient.

¹² The precise formula for the standard error of a sample proportion is $\frac{\sqrt{p(1-p)}}{\sqrt{n}}$ where p = true proportion. This is always less than $\frac{1}{2\sqrt{n}}$.

21. A stratified sample groups the population into different “strata” and samples more frequently from strata with higher variability. This approach is more efficient in the sense that it makes optimal use of each data point. The reason for this has to do with the importance of each observation to the conclusion. In a simple random sample, every claimant has equal likelihood of inclusion. In a stratified sample, like the one in *Bestwall*, claimants that have very low settlements are less likely to be included. This is more efficient since the consequence of any improper disclosure is smaller for smaller settlements so fewer small settlements are needed to estimate their impact. In the end, this means that, with the same sample size, the resulting standard errors can be lower than in a simple random sample.

22. Thus, for purposes of testing the first parameter of interest, the proportion of claimants that failed to disclose alternative exposures, a simple or stratified random sample would provide an exceedingly accurate result. The very small uncertainty in the proportion that remains after sampling will have no practical impact on the claim evaluation process. In fact, as I will explain later, this uncertainty is very much smaller than the modeling uncertainty about claims valuations.

23. Dr. Mullin also discusses a second parameter of interest:

Further, if full disclosure has not occurred, then variation in disclosure patterns would allow us to model the impact of partial information on settlement amounts. If that information is not communicated to a defendant, a plaintiff can artificially increase settlement amounts in a number of different ways.¹³

Dr. Mullin suggests that he wants to measure the impact of non-disclosure on settlement amounts. The assumption here is that a claimant who fails to disclose their exposure completely would have been owed a smaller settlement value had they in fact disclosed such information. The overall

¹³ Mullin Decl., ¶ 17.

average impact of such non-disclosures would be a population parameter of great interest. For this parameter, at issue before the Court is the following question: *If a sample were used to estimate this value, how precise would that estimate be?*

24. Because the *proportion* of non-disclosed claimants has a very small standard error, it follows, if all the settlements were the same size, that the standard error of the overall average impact would also be small. If the settlements are not the same size, a stratified sample can be drawn that oversamples the claims with the highest variation. When this happens an additional “finite sample correction factor” is added to the formula, which reduces the standard error.¹⁴ Applying this here, since we know that the settlement amounts are not the same size for each claimant, a properly stratified sample of 1,200 claimants’ data, would allow Dr. Mullin and the Debtors to calculate the average size of the impact of non-disclosure on settlement values with uncertainty that is extremely small.

25. Beyond the two parameters discussed above, Dr. Mullin does not specify precisely or intimate any other parameters of interest. In my review of the relevant materials, I have not encountered any argument or specific identification of any need that cannot be fulfilled by a sample and that would require a full census. As discussed above, a sample would provide an exceptionally accurate result that would be commensurate with a result derived from the total population.

26. It is possible that there may be a desire to do more than accurately and scientifically assess the Debtors’ liability. For example, if the Debtors are looking for stories to support their arguments anecdotally, then having a larger pool of claimants would produce a larger pool of

¹⁴ The finite sample correction factor lowers the standard error by an amount $c = \sqrt{\frac{(N-n)}{(N-1)}}$ where n = sample size in given strata and N=strata size. This can be substantial reduction in the standard error if the sample size is large relative to the size of the strata. This is why the sampling proportion will be high for certain strata with large settlements.

stories. To illustrate, if you want to study how much money gamblers lose on average in sports betting in an effort to marshal arguments to legalize sports betting, then a random sample of sufficient size would be sufficient to accurately and reliably measure the economic losses. If, on the other hand, the best argument requires an example of a losing streak, then a full census will generate more extreme results that could be used to illustrate this point.

C. A full census provides no material benefit

27. What I have demonstrated is that a 10% sample is completely sufficient and not materially worse than a census for the purposes outlined by Dr. Mullin or the Debtors' reasonable needs. So what benefit is there to doing a complete census? Dr. Mullin indicates that there are a few benefits, I will consider them and show that any such benefit is exceedingly minor.

28. Dr. Mullin discusses the "analytical burden" of sampling without defining or explaining it.¹⁵ He does not say what that burden is exactly or how extensive that burden would be. Simple random samples are trivially handled, and unweighted stratified samples are not substantively harder to implement and analyze (for appropriately qualified experts) since there are readily available or derivable formulas that can be applied to stratified or weighted samples.¹⁶

29. While there are a few extra statistical calculations that are required to compute standard errors (that are not needed when doing a census), this is not hard or particularly burdensome. Data analysis on the full dataset is not substantively easier especially since there will be statistical challenges of all types that will arise, sampling or no sampling. Even if a full census were taken and analyzed, there would still be uncertainty about the parameters at issue. There are

¹⁵ Mullin Decl., ¶¶ 25-31.

¹⁶ E.g., Ken Aho, *Confidence Intervals for Stratified Random Samples*, INST. FOR STATISTICS & MATHEMATICS, <https://search.r-project.org/CRAN/refmans/asbio/html/ci.strat.html> (last visited Apr. 25, 2023).

other unknowns that would have to be estimated and would require the creation of a statistical model. These will introduce new uncertainty, distinct and irreducible, and **not due to sampling**.

30. For example, it may be quite important to compute what the dollar value of a settlement would have been, under the counterfactual that a full and accurate disclosure had been made. This cannot be known precisely and would have to be estimated using a model for each claimant who failed to accurately disclose. Consequently, even if all the data for every claimant is collected (without sampling), a statistical model would be required to make an estimate of a counterfactual settlement amount. The uncertainty of this can be guessed, but not known. Based on my experience in modeling and statistics, the uncertainty in estimating the counterfactual would far exceed the standard errors caused by sampling. In short, as a practical matter a 10% sample is just as good as a full census.

31. In his Declaration, Dr. Mullin also cites the Internal Revenue Service (“IRS”) recommendation that samples should not be used when “it is reasonable to examine 100 percent of the items under consideration.”¹⁷ This recommendation is given without any context and is not applicable. The IRS is not tasked with *estimating* the amount of taxes owed. It needs to know the amount exactly, if possible, thus the recommendation. The IRS is tasked with finding every incident of tax avoidance. If they were only interested in estimating the average size of underpayments then a sufficiently large sample can be practically and materially no worse than a complete census. In fact, sometimes a sample can be preferred because samples can sometimes be more carefully checked for inaccuracies. This is particularly important when some of the data fields consist of “narratives” (like descriptions of exposure histories) that require human readers and curation.

¹⁷ Mullin Decl., ¶ 20.

32. Because there is no practical loss in accuracy created by sampling, there is no need for, or material benefit from, taking a full census of the claimants' data, especially when balanced against the significant privacy benefits that sampling provides. It is always possible that a data breach will occur exposing the data and breaking the confidentiality that has been promised. The chance of such a breach can be minimized, but never eliminated. If the entire population of claimants is released than all the claimants private and confidential information is at risk. If a sample of 10% is released, then the size of the at-risk population is 10 times smaller. Since the damage in a confidentiality breach is measured in proportion to the size of the number of individuals that are exposed the potential damage to the individual claimants *is 10 times smaller*.


VI. CONCLUSIONS

33. Dr. Mullin has argued that sampling should not be used because a full census is more accurate and the burdens of a full census are not sufficiently large to outweigh the benefits. What Dr. Mullin fails to do is quantify, even approximately, how much less accurate a sample will be. I conclude that a random sample that is large (10%), weighted or stratified towards larger settlement values, would be practically and materially no less accurate than a full census of the approximately 12,000 claimants in the targeted population.

34. A proper stratified random sample can accurately estimate the proportion of claimants that did not consistently disclose their exposure histories and also estimate the average difference in settlement amount if exposures were properly disclosed. With respect to these issues, there would not be a practical or material difference in the information acquired from a large, targeted sample of 1,200 than would be gained from the full census of the entire population of 12,000.

Pursuant to 28 U.S.C. § 1746, I declare under penalty of perjury that the foregoing is true and correct.

Dated: April 25, 2023
Philadelphia, PA



Abraham J. Wyner, Ph.D.

EXHIBIT A

List of Sources:

1. Motion of the Debtors for an Order Authorizing the Debtors to Issue Subpoenas on Asbestos Trusts and Paddock Enterprises, LLC [*In re Aldrich Pump LLC, et al.*, Dkt. No. 1111];
2. Reply in Support of Motion of the Debtors for an Order Authorizing the Debtors to Issue Subpoenas on Asbestos Trusts and Paddock Enterprises, LLC [*In re Aldrich Pump LLC, et al.*, Dkt. No. 1182];
3. Third-Party Asbestos Trusts' Motion to Quash or Modify Subpoenas [*DCPF Proceeding*, Dkt. No. 3];
4. Delaware Claims Processing Facility, LLC's (I) Motion to Quash or Modify Subpoena and (II) Joinder [*DCPF Proceeding*, Dkt. No. 4-2];
5. Aldrich Pump LLC and Murray Boiler LLC's Brief in Opposition to (A) Third-Party Asbestos Trusts' Motion to Quash or Modify Subpoenas; and (B) Delaware Claims Processing Facility, LLC's (I) Motion to Quash or Modify subpoenas and (II) Joinder [*DCPF Proceeding*, Dkt. No. 4-9];
6. Third-Party Asbestos Trusts' Reply in Support of Motion to Quash or Modify Subpoenas [*DCPF Proceeding*, Dkt. No. 6-2];
7. Delaware Claims Processing Facility, LLC's Reply in Support of its (I) Motion to Quash or Modify Subpoena and (II) Joinder [*DCPF Proceeding*, Dkt. No. 6-5];
8. Transcript for Hearing/Trial Held on November 30, 2022 [*DCPF Proceeding*, Dkt. No. 35];
9. December 19, 2022 Email from Morgan R. Hirst re: In re Aldrich Pump LLC, et al (Case No. 20-30608);
10. Debtors' Motion for Rehearing Concerning the Issue of Sampling on DCPF's Subpoena-Related Motions [*DCPF Proceeding*, Dkt. No. 54];
11. Declaration of Charles H. Mullin, Ph.D. [*DCPF Proceeding*, Dkt. No. 54];
12. Third-Party Asbestos Trusts' Opposition to Motion for Rehearing Concerning the Issue of Sampling on DCPF's Subpoena-Related Motions [*DCPF Proceeding*, Dkt. No. 70];
13. Declaration of Beth Moskow-Schnoll in Support of Third-Party Asbestos Trusts' Opposition to Motion for Rehearing Concerning the Issue of Sampling on DCPF's Subpoena-Related Motions [*DCPF Proceeding*, Dkt. No. 70];

14. Debtors' Reply in Support of Debtors' Motion for Rehearing Concerning the Issue of Sampling on DCPF's Subpoena-Related Motions [*DCPF Proceeding*, Dkt. No. 87];
15. Transcript for Hearing/Trial Held on March 30, 2023 [*DCPF Proceeding*, Dkt. No. 119];
16. Third-Party Asbestos Trusts' Motion to Quash Subpoenas and in Support of Stay [*Verus Proceeding*, Dkt. No. 2-1];
17. Verus Claims Services, LLC's Motion to Quash Subpoenas and to Stay [*Verus Proceeding*, Dkt. No. 2-6];
18. Respondents' Motion to Transfer Subpoena-Related Motions to the Issuing Court, the United States Bankruptcy Court for the Western District of North Carolina [*Verus Proceeding*, Dkt. No. 3-9];
19. Aldrich Pump LLC and Murray Boiler LLC's Opposition to (I) Third-Party Trusts' Motion to Quash Subpoenas and in Support of Stay; (II) Verus Claim Services, LLC's Motion to Quash Subpoenas and to Stay; and (III) Non-Party Certain Matching Claimants' Joinders and Motion to Quash [*Verus Proceeding*, Dkt. No. 5-2];
20. Third-Party Asbestos Trusts Reply in Further Support of their Motion to Quash Subpoenas [*Verus Proceeding*, Dkt. No. 5-10]; and
21. Verus Claim Services, LLC's Reply in Further Support of its Motion to Quash [*Verus Proceeding*, Dkt. No. 6-1].

EXHIBIT B

Expert Testimony in the Last 4 Years:

1. *Grayson v. Gen. Elec. Co.*, No. 3:13-cv-01799 (D. Conn. Feb. 9, 2018) (Deposition Testimony);
2. *United States, ex rel. J. Scott v. Ariz. Ctr. for Hematology & Oncology*, No. 2:16-cv-03703 (D. Ariz. Aug. 21, 2019) (Deposition Testimony);
3. *Arwood v. Broadtree Partners, LLC*, C.A. No. 2019-0904-JRS (Del. Ch. Oct. 2020) (Trial Testimony);
4. *Honeywell Int'l, Inc. v. N. Am. Refractories Co. Personal Inj. Settlement Tr. (In re N. Am. Refractories Co.)*, Adv. No. 21-2097-TPA (Bankr. W.D. Pa. May 2022) (Trial Testimony);
and
5. *Mann v. Nat'l Review, Inc.*, 2012 CA 008263 B (D.C. Super. Nov. 2020) (Trial scheduled for June 2023).

EXHIBIT C

Publications in the Last 10 Years:

1. Ryan Brill, Sameer Deshpande, Wyner, "A Bayesian Analysis of the Time Through the Order Penalty," Submitted to the JQAS, Published at <https://arxiv.org/abs/2210.06724>
2. Elizabeth Walshe EA, Elliott MR, Romer D, Cheng S, Curry AE, Seacrist T, Oppenheimer N, Wyner AJ, Grethlein D, Gonzalez AK, Winston FK, "Novel use of a virtual driving assessment to classify driver skill at the time of licensure," *Transp. Res. Part F Traffic Psychol. Behav.*, 2022 May.
3. Elizabeth A. Walshe, Abraham J. Wyner, Shukai Cheng, Robert Zhang, Alexander K. Gonzalez, Natalie Oppenheimer, Daniel Romer, and Flaura K. Winston, "License Examination and Crash Outcomes Post-Licensure in Young Drivers: Are the youngest drivers most at risk?", 2022. JAMA Network.
4. "Is the Third Time Through the Order Penalty Real?," Abraham Wyner and Russel Walters, To Appear, SABR 2021 Conference.
5. Matthew Olson, Abraham J. Wyner, Richard Berk, "Generalizations of the Random Forest Kernel," KDD 2019.
6. Matt Olson and Abraham Wyner, "Modern Neural Networks Generalize Well on Small Data Sets," NIPS, 2019.
7. Matt Olson and Abraham Wyner, "Do Random Forests Estimate Class Probabilities?," Submitted Journal of Machine Learning Research, 2018.
8. Sameer K. Deshpande, Abraham J. Wyner, "A hierarchical Bayesian model of pitch framing," *Journal of Quantitative Analysis in Sports*, Volume 13, Issue 2, October 2017.
9. Phillip Earnst. Shepp, L. and Abraham Wyner, "Yule's 'nonsense correlation' solved!," *The Annals of Statistics*. Volume 45, Number 4 (2017), 1789-1809.
10. Abraham J Wyner, Matthew Olson, Justin Bleich, David Mease, "Explaining the Success of AdaBoost and Random Forests as Interpolating Classifiers," *Journal of Machine Learning Research* 18 (May, 2017) 1-33.
11. Mathieu E. Wimmer, Justin Rising, Raymond J. Galante, Abraham Wyner, Allan I. Pack, Ted Abel, "Aging in Mice Reduces the Ability to Sustain Sleep/Wake States," *PloS one* 8 (12), e81880, December 2013.
12. McShane, Blakely B.; Jensen, Shane T.; Pack, Allan I.; Wyner, Abraham J., "Modeling Time Series Dependence for Scoring Sleep in Mice," *Journal of the American Statistical Association*, 108 (504), 1147-1162, 2013.

13. McShane, Blakely B.; Jensen, Shane T.; Pack, Allan I.; Wyner, Abraham J., “Rejoinder: Modeling Time Series Dependence for Scoring Sleep in Mice,” *Journal of the American Statistical Association*, 108 (504), 2013.
14. Driver, R. J., Lamb, A. L., Wyner, A. J., & Raizen, D. M. “DAF-16/FOXO Regulates Homeostasis of Essential Sleep-like Behavior during Larval Transitions in *C. elegans*,” *Current Biology* (2013).
15. Richard Sander and Abraham Wyner, “Studies Fail to Support Claims of New California Ethnic Studies Requirement,” *Tablet Magazine*, Mar. 29, 2022.
16. Abraham Wyner and Alan Salzburg, “The insanity of mandating boosters for Kids,” *Tablet Magazine*, June 6, 2022.
17. “Not a Time for Politics or Bad Data,” *The Hill*, Published May 28, 2020.
18. “I’m a Statistician Closing Camps would be a big Published Mistake,” *The Forward*, May 5, 2020.
19. Wyner, Abraham, “A Statistician Reads the Sports Pages: Can the Skill Level of a Game of Chance Be Measured?,” Shane Jensen (column editor) *Chance*, Vol. 25.3, 2012.
20. Wyner, Abraham, “Why Do Women’s Salaries Still Lag Behind?,” *The Forward*, December 20, 2013.

EXHIBIT B

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

UNITED STATES BANKRUPTCY COURT
WESTERN DISTRICT OF NORTH CAROLINA
CHARLOTTE DIVISION

-----X

ARMSTRONG WORLD INDUSTRIES,)
 INC. ASBESTOS PERSONAL) Miscellaneous Proceeding
 INJURY SETTLEMENT TRUST,)
 et al.,) No. 22-00303 (JCW)
)
 Plaintiffs,) (Transferred from
) District of Delaware)
 v.)
)
 ALDRICH PUMP LLC, et al.,)
)
 Defendants.)
 -----X

In re) Chapter 11
)
 ALDRICH PUMP LLC, et al.,) Case No. 20-30608
)
 Debtors.)
 -----X

DEPOSITION OF CHARLES HENRY MULLIN, PH.D.
Monday, May 8, 2023; 1:06 p.m. EDT

Reported by: Cindy L. Sebo, RMR, CRR, RPR, CSR, CCR,
 CLR, RSA, NYRCR, NYACR, Remote CA CSR #14409, NJ CCR
 #30XI00244600, NJ CRT #30XR00019500, Washington State
 CSR #23005926, Oregon CSR #230105, TN CSR 998, Remote
 Counsel Reporter, LiveLitigation Authorized Reporter,
 Notary Public
 Job No. 5905066

Page 2	Page 4
<p>1 Deposition of CHARLES HENRY MULLIN, PH.D., 2 held at the law offices of Jones Day, 51 Louisiana 3 Avenue, Northwest, Washington, D.C. 20001, before 4 Cindy L. Sebo, Registered Merit Court Reporter, 5 Certified Real-Time Reporter, Registered Professional 6 Reporter, Certified Shorthand Reporter, Certified 7 Court Reporter, Certified LiveNote Reporter, Real-Time 8 Systems Administrator, California Shorthand Reporter 9 #14409, New Jersey Certified Court Reporter, 10 #30XI00244600, New Jersey Certified Realtime Reporter 11 #30XR00019500, New York Realtime Certified Reporter, 12 New York Association Certified Reporter, Washington 13 State CSR #23005926, Oregon CSR #230105, Tennessee CSR 14 #998, Remote Counsel Reporter, LiveLitigation 15 Authorized Reporter and Notary Public, beginning at 16 approximately 1:06 p.m. EDT, when were present on 17 behalf of the respective parties: 18 19 20 21 22</p>	<p>1 A P P E A R A N C E S (Continued): 2 Attorneys for Plaintiff Claimants' Representative, 3 Joseph Grier: 4 ORRICK HERRINGTON & SUTCLIFFE LLP 5 DEBRA L. FELDER, ESQUIRE 6 Columbia Center 7 1152 15th Street, Northwest 8 Washington, D.C. 20005-1706 9 202.339.8567 10 dfelder@orrick.com 11 Attorneys for Custom Matching Claimants: 12 HOGAN MCDANIEL 13 DANIEL K. HOGAN, ESQUIRE 14 1311 Delaware Avenue 15 Wilmington, Delaware 19806 16 302.656.7540 17 dkhogan@dkhogan.com 18 19 20 21 22</p>
Page 3	Page 5
<p>1 A P P E A R A N C E S: 2 Attorneys for Plaintiff ACC: 3 ROBINSON & COLE LLP 4 AMANDA R. PHILLIPS, ESQUIRE 5 One Boston Place, 26th Floor 6 Boston, Massachusetts 02108 7 617.557.5916 8 aphillips@rc.com 9 -and- 10 LAURIE A. KREPTO, ESQUIRE 11 1650 Market Street, Suite 3030 12 Philadelphia, Pennsylvania 19103 13 215.398.0554 14 lkrepto@rc.com 15 -and- 16 CAPLIN & DRYSDALE 17 JEANNA RICKARDS KOSKI, ESQUIRE (Via Zoom) 18 One Thomas Circle, Northwest, Suite 1100 19 Washington, D.C. 20005 20 202.862.5069 21 jkoski@capdale.com 22</p>	<p>1 A P P E A R A N C E S (Continued): 2 Attorneys for Debtors/Defendants Aldrich Pump LLC and Murray Boiler LLC: 3 EVERT WEATHERSBY HOUFF 4 C. MICHAEL EVERT, JR., ESQUIRE 5 3455 Peachtree Road, Northeast, Suite 1550 6 Atlanta, Georgia 30326 7 678.651.1250 8 cmevert@ewhlaw.com 9 -and- 10 CLARE M. MAISANO, ESQUIRE 11 111 South Calvert Street, Suite 1910 12 Baltimore, Maryland 21202 13 443.573.8507 14 cmmaisano@ewhlaw.com 15 16 17 18 19 20 21 22</p>

Page 6	<p>1 A P P E A R A N C E S (Continued):</p> <p>2 Attorneys for DCPF:</p> <p>3 YOUNG CONAWAY STARGATT & TAYLOR, LLP</p> <p>4 KEVIN A. GUERKE, ESQUIRE</p> <p>5 1000 North King Street</p> <p>6 Wilmington, Delaware 19801</p> <p>7 302.571.6616</p> <p>8 kguerke@ycst.com</p> <p>9</p> <p>10 Attorneys for DCPF Trust:</p> <p>11 BALLARD SPAHR LLP</p> <p>12 BETH MOSKOW-SCHNOLL, ESQUIRE (Via Zoom)</p> <p>13 919 North Market Street, 11th Floor</p> <p>14 Wilmington, Delaware 19801-3034</p> <p>15 302.252.4447</p> <p>16 moskowb@ballardspahr.com</p> <p>17 -and-</p> <p>18 BRIAN N. KEARNEY, ESQUIRE</p> <p>19 1735 Market Street, 51st Floor</p> <p>20 Philadelphia, Pennsylvania 19103-7599</p> <p>21 215.864.8265</p> <p>22 kearneyb@ballardspahr.com</p>	Page 8																															
Page 7	<p>1 A P P E A R A N C E S (Continued):</p> <p>2 Attorneys for Debtors and Debtors in Possession:</p> <p>3 JONES DAY</p> <p>4 BRAD B. ERENS, ESQUIRE (Via Zoom)</p> <p>5 MORGAN R. HIRST, ESQUIRE</p> <p>6 110 North Wacker Drive, Suite 4800</p> <p>7 Chicago, Illinois 60606</p> <p>8 312.782.3939</p> <p>9 bberens@jonesday.com</p> <p>10 mhirst@jonesday.com</p> <p>11 Attorneys for Trane Technologies Company LLC and</p> <p>12 Trane U.S. Inc.:</p> <p>13 MCCARTER & ENGLISH, LLP</p> <p>14 PHILLIP S. PAVLICK, ESQUIRE (Via Zoom)</p> <p>15 Four Gateway Center</p> <p>16 100 Mulberry Street</p> <p>17 Newark, New Jersey 07102</p> <p>18 973.849.4181</p> <p>19 ppavlick@mccarter.com</p> <p>20</p> <p>21</p> <p>22</p>	<p>1 --oOo--</p> <p>2 INDEX OF EXAMINATION</p> <p>3 CHARLES HENRY MULLIN, PH.D.</p> <p>4 Armstrong World, et al. v Aldrich Pump LLC, et al.</p> <p>5 Monday, May 8, 2023</p> <p>6 --oOo--</p> <p>7</p> <table border="0"> <tr> <td>8 EXAMINATION BY</td> <td style="text-align: right;">PAGE</td> </tr> <tr> <td>9 Mr. Kaplan</td> <td style="text-align: right;">11</td> </tr> <tr> <td>10 Mr. Guerke</td> <td style="text-align: right;">144</td> </tr> <tr> <td>11 Mr. Hogan</td> <td style="text-align: right;">207</td> </tr> <tr> <td>12</td> <td></td> </tr> <tr> <td>13</td> <td></td> </tr> <tr> <td>14</td> <td></td> </tr> <tr> <td>15 CERTIFICATE OF REPORTER</td> <td style="text-align: right;">217</td> </tr> <tr> <td>16 INSTRUCTIONS TO WITNESS</td> <td style="text-align: right;">218</td> </tr> <tr> <td>17 ERRATA</td> <td style="text-align: right;">219</td> </tr> <tr> <td>18 ACKNOWLEDGMENT OF WITNESS</td> <td style="text-align: right;">221</td> </tr> <tr> <td>19</td> <td></td> </tr> <tr> <td>20</td> <td></td> </tr> <tr> <td>21</td> <td></td> </tr> <tr> <td>22</td> <td></td> </tr> </table>	8 EXAMINATION BY	PAGE	9 Mr. Kaplan	11	10 Mr. Guerke	144	11 Mr. Hogan	207	12		13		14		15 CERTIFICATE OF REPORTER	217	16 INSTRUCTIONS TO WITNESS	218	17 ERRATA	219	18 ACKNOWLEDGMENT OF WITNESS	221	19		20		21		22		Page 9
8 EXAMINATION BY	PAGE																																
9 Mr. Kaplan	11																																
10 Mr. Guerke	144																																
11 Mr. Hogan	207																																
12																																	
13																																	
14																																	
15 CERTIFICATE OF REPORTER	217																																
16 INSTRUCTIONS TO WITNESS	218																																
17 ERRATA	219																																
18 ACKNOWLEDGMENT OF WITNESS	221																																
19																																	
20																																	
21																																	
22																																	

Page 10	Page 12
<p>1 --oOo--</p> <p>2 INDEX TO EXHIBITS</p> <p>3 CHARLES HENRY MULLIN, PH.D.</p> <p>4 Armstrong World, et al. v Aldrich Pump LLC, et al.</p> <p>5 Monday, May 8, 2023</p> <p>6 --oOo--</p> <p>7 (Exhibits Provided Electronically to Reporter.)</p> <p>8 CM DEPOSITION</p> <p>9 EXHIBIT NUMBER DESCRIPTION PAGE</p> <p>10 Number 1 Declaration of Charles H.</p> <p>11 Mullin, Ph.D. 17</p> <p>12 Number 2 Subpoena to Produce Documents,</p> <p>13 Information, or Objects or to</p> <p>14 Permit Inspection of Premises</p> <p>15 in a Bankruptcy Case</p> <p>16 (or Adversary Proceeding) 51</p> <p>17</p> <p>18 Number 3 Expert Report of Abraham J.</p> <p>19 Wyner, Ph.D. 101</p> <p>20</p> <p>21</p> <p>22</p>	<p>1 Michael Kaplan. We met briefly off the record. I</p> <p>2 represent the nonparty Verus Trust.</p> <p>3 And I think I've drawn the short</p> <p>4 straw of -- of going first today, and maybe the</p> <p>5 only. We'll see.</p> <p>6 You have been deposed before,</p> <p>7 correct?</p> <p>8 A. Correct.</p> <p>9 Q. I'd be lying if I told you I didn't</p> <p>10 know that.</p> <p>11 So I'm going to give you the very</p> <p>12 abbreviated version of today's sort of ground rules</p> <p>13 so that we can't ever have a disagreement.</p> <p>14 You know all of your answers have to</p> <p>15 be verbal?</p> <p>16 A. Correct.</p> <p>17 Q. We have to do our best not to talk</p> <p>18 over one another, right?</p> <p>19 A. That's the goal.</p> <p>20 Q. Right.</p> <p>21 You understand you're testifying</p> <p>22 under the penalty of perjury, correct?</p>
Page 11	Page 13
<p>1 --oOo--</p> <p>2 PROCEEDINGS</p> <p>3 --oOo--</p> <p>4 Washington, D.C.</p> <p>5 --oOo--</p> <p>6 Monday, May 8, 2023; 1:06 p.m. EDT</p> <p>7 --oOo--</p> <p>8 --oOo--</p> <p>9 CHARLES HENRY MULLIN, PH.D.,</p> <p>10 after having been first duly sworn by the certified</p> <p>11 stenographer to tell the truth, the whole truth, and</p> <p>12 nothing but the truth, testified as follows:</p> <p>13 --oOo--</p> <p>14 CERTIFIED STENOGRAPHER: Thank</p> <p>15 you.</p> <p>16 The witness is sworn.</p> <p>17 MR. KAPLAN: Thank you.</p> <p>18 --oOo--</p> <p>19 EXAMINATION BY COUNSEL FOR NON-PARTY VERUS TRUST</p> <p>20 --oOo--</p> <p>21 BY MR. KAPLAN:</p> <p>22 Q. Good afternoon, Dr. Mullin. I'm</p>	<p>1 A. Correct.</p> <p>2 Q. You understand that from time to</p> <p>3 time, maybe in response to every question, your</p> <p>4 counsel is going to potentially object to something</p> <p>5 that I'm saying.</p> <p>6 Unless he instructs you not to</p> <p>7 answer, you know you can answer, right?</p> <p>8 A. I have the option of answering, yes.</p> <p>9 Q. Okay. Lastly and, I think, most</p> <p>10 importantly is if you don't understand my question,</p> <p>11 I'd like you to tell me that you don't understand</p> <p>12 it, and maybe we'll -- and I'll be able to rephrase</p> <p>13 it for you so that you get a question you</p> <p>14 understand.</p> <p>15 If you answer, I'm going to assume</p> <p>16 that you understood the question.</p> <p>17 Correct?</p> <p>18 A. That may be a poor assumption.</p> <p>19 If I answer, I had a clear</p> <p>20 understanding of the question. I have no way of</p> <p>21 knowing if that aligned with your intent of the</p> <p>22 question.</p>

Page 14

1 So it doesn't necessarily mean that
 2 your understanding and mine are the same; it just
 3 means we both have one.
 4 Q. Well, the benefit will be that if you
 5 think there's a problem with the question in any
 6 way, you shouldn't answer; you should tell me.
 7 Because if you do, I promise you, when, we get to
 8 court, I'm going to hold up the deposition
 9 transcript and say you answered, so you understood.
 10 All right?
 11 A. And I will tell the judge what I
 12 understood, so it will be fine.
 13 Q. Terrific. And we will be off to the
 14 races there.
 15 Lastly, if you need a break in this
 16 very, very short session, hopefully, that we
 17 have -- we'll take one for sure, but please let me
 18 know at any time.
 19 And, obviously, if there's any
 20 question of privilege, somehow, that came up, we
 21 can stop, take a break and get the privilege issue
 22 resolved and come back in. But I don't think we're

Page 15

1 going to have that issue today.
 2 All right.
 3 (Pause.)
 4 BY MR. KAPLAN:
 5 Q. So, Doctor, what is it that you're
 6 being -- being proffered as an expert in here?
 7 A. I'm -- the proffer I don't control.
 8 I've been asked to really explain the difference
 9 between using a 10 percent sample or -- 10 percent
 10 sample of what's really about a 3 percent sample of
 11 the claims data already or using the 3 percent we
 12 asked for in the \$12,000 in totality and how that
 13 would affect the precision of the ultimate analyses
 14 offered in estimation down the road.
 15 Q. Okay. My question was a little more
 16 straightforward than that. Let me rephrase it for
 17 you because it might be you didn't understand.
 18 What is your expertise in?
 19 A. I'm trained as an economist. I have
 20 extensive expertise in statistics, econometrics,
 21 economic modeling. I have applied those in a mass
 22 tort setting frequently.

Page 16

1 And probably most germane to this
 2 process, I have expertise in estimating future
 3 liabilities under various different sets of
 4 assumptions and -- which get into the estimation
 5 process itself but in terms of the data inputs and
 6 how they affect that and the statistical properties
 7 and, hence, the precision.
 8 So it's really estimation and
 9 statistics are probably the two applications, but
 10 there's a lot of underlying training and expertise
 11 that underlies those two areas.
 12 Q. Okay. Did anyone assist you in
 13 preparing your declaration that was submitted here?
 14 A. Yes.
 15 Q. Okay. And who are those people?
 16 A. I couldn't give you a whole list
 17 sitting here. My process -- I work with a team --
 18 Q. Okay.
 19 A. -- and I draft reports with the team.
 20 I ultimately review them and edit them to make sure
 21 they reflect my opinions. And that work done is
 22 under my direction.

Page 17

1 Q. Okay. And I'm going to mark for you,
 2 just so that we have and we can get started with
 3 it --
 4 MR. KAPLAN: Can we just call it
 5 CM-1? Anyone have a problem with that?
 6 MR. EVERT: Sure, that's fine.
 7 MR. KAPLAN: CM-1.
 8 It is your -- and I apologize for
 9 those in Zoom world. I don't have electronic
 10 copies to share, but it's Dr. Mullin's
 11 declaration at Docket 55, filed on March 9th,
 12 2023.
 13 I do have copies for the room --
 14 some copies for the room.
 15 --oOo--
 16 (CM Deposition Exhibit Number 1,
 17 Declaration of Charles H. Mullin,
 18 Ph.D., marked for identification, as
 19 of this date.)
 20 --oOo--
 21 BY MR. KAPLAN:
 22 Q. Okay. Do you recognize this

Page 18

1 document, Dr. Mullin?
2 MR. EVERT: Hang on one second.
3 I just wanted to make sure, for
4 everybody on the phone, that they know
5 it's -- because he's filed more than one
6 declaration in the case. So it's Docket
7 -- it's -- the declaration at Docket 55 is
8 the declaration filed in association with the
9 -- I believe with the Motion for
10 Reconsideration, although . . .
11 MR. KAPLAN: Sure hope it is.
12 MR. EVERT: Yeah, that's right.
13 MR. KAPLAN: Okay.
14 BY MR. KAPLAN:
15 Q. You recognize that document,
16 Dr. Mullin?
17 A. I do.
18 Q. Okay. And the team that you talked
19 about in the process you use -- is that what you
20 used to prepare what we're calling CM-1?
21 A. Correct.
22 Q. Okay. Do you know how many hours you

Page 19

1 spent in preparing this?
2 A. I do not.
3 Q. Okay. How much time did you spend
4 preparing for your deposition today?
5 A. Specifically for the deposition?
6 Probably five to eight hours.
7 Q. Okay. Did you speak to anyone
8 besides counsel about your deposition today?
9 A. I spoke with a couple members of my
10 team.
11 Q. Okay. And what did you talk about
12 there?
13 A. So, first, I'll clarify what I mean
14 by "prepare," because that will give context, which
15 is I reviewed Dr. Wyner's rebuttal report --
16 Q. Okay.
17 A. -- and so I talked to my team about
18 that report and talked to -- principally, that was
19 the main topic of conversation with my team.
20 Q. It was about Dr. Wyner's report?
21 A. Correct.
22 Q. Okay. We'll get to that at some

Page 20

1 point today.
2 Did you meet with counsel in advance
3 of the deposition?
4 A. I did.
5 Q. Okay. How many hours did you meet
6 with counsel for?
7 A. In terms of this is the topic?
8 Around an hour, maybe an hour and a
9 half.
10 Q. Okay. And when was that?
11 A. So a meeting on Thursday or Friday of
12 last week and then a little bit of time before the
13 start of the deposition this morning.
14 Q. Let me just say this: The document
15 which we've showed you as CM-1, this declaration
16 for the motion for reconsideration -- is this the
17 only document that you are planning on relying on
18 in the -- for the June 6th hearing?
19 MR. EVERT: I'm sorry. Let me
20 ask, when you say "document," do you mean
21 declaration?
22 MR. KAPLAN: I'm sorry.

Page 21

1 Declaration. Bad wording. Yes.
2 THE WITNESS: I don't know the
3 technicalities of it. I had a similar
4 declaration that I think was in response to
5 an action in New Jersey, and I don't know the
6 technicalities of how that transfers over.
7 But there's a lot of overlap in the content
8 of those two. But, really, the content
9 across those would be the focus of that
10 testimony as I see it.
11 BY MR. KAPLAN:
12 Q. Okay. Are you preparing any kind of
13 supplemental declaration in response to Dr. Wyner?
14 MR. EVERT: I'm just going to
15 break in, Michael.
16 I think we agreed we weren't going
17 to do that, that this was going to be his
18 supplemental declaration.
19 You weren't part of those
20 discussions, so I apologize for jumping in
21 and answering the question, but -- yeah. So
22 I think, at least from a legal perspective,

Page 22

1 we would be relying on any declarations
 2 Dr. Mullin has filed that are applicable to
 3 the Trust discovery issue; but, no, he's not
 4 going to file -- his deposition is going to
 5 serve sort of as his response.
 6 MR. KAPLAN: Excellent. All
 7 right. Good. That will short-circuit some
 8 of -- some of those questions.
 9 BY MR. KAPLAN:
 10 Q. I apologize, Dr. Mullin. I was
 11 not -- were you present at the March 30th, 2023
 12 hearing that sort of preceded this round of
 13 exercises we're doing right now?
 14 A. I was present at a hearing. If that
 15 was the date of it --
 16 Q. Yeah.
 17 A. -- probably.
 18 MR. EVERT: Yes, he was.
 19 MR. KAPLAN: He was there.
 20 BY MR. KAPLAN:
 21 Q. Okay. Excellent.
 22 All right. So I want to focus you in

Page 23

1 on -- on, really, two questions -- two sets of
 2 questions today -- others may have other questions,
 3 but I want to focus you in on two. The first is
 4 that judge's question about why sampling doesn't
 5 work for the Debtors' side, and the second is why
 6 sampling wouldn't reduce the risk of even human
 7 error of missing some PII being disclosed.
 8 Okay?
 9 A. Okay.
 10 Q. All right. By background, have you
 11 offered an expert opinion previously on the
 12 sufficiency of a sample side?
 13 A. Yes.
 14 MR. EVERT: In any case?
 15 MR. KAPLAN: In any case.
 16 MR. EVERT: Okay.
 17 BY MR. KAPLAN:
 18 Q. How many of the cases?
 19 A. I couldn't give you a count. I know
 20 it's a common topic in the insurance coverage work
 21 that I've done, so it comes up frequently in that
 22 context. So that's going to be the principal

Page 24

1 context.
 2 I've done sampling in, I guess --
 3 with the Consumers Finance Bureau [sic]. There's
 4 probably other cases as well, but I've used
 5 sampling in an array of different positions.
 6 Q. How about in any type of mass tort
 7 case?
 8 A. Most of those insurance coverage
 9 actions involve mass tort claims --
 10 Q. Okay.
 11 A. -- so definitely, in relation to mass
 12 torts, I've given opinions on sampling before.
 13 Q. Okay. Can you recall the last time
 14 you gave an opinion on sampling in -- in a mass
 15 tort case?
 16 A. It's common. I'd have to go look. I
 17 don't know the last time I did it.
 18 Q. Okay. And you said in the insurance
 19 context.
 20 Who is it that retained you in those
 21 contexts -- in those cases -- excuse me, not
 22 contexts, cases?

Page 25

1 A. I've been retained by policyholders;
 2 I've been retained by insurance companies; I've
 3 been retained by reinsurance companies, whether
 4 it's reinsurance and insurers in litigation, and
 5 retrocession errors. So it's kind of up and down
 6 the line.
 7 Sampling is common regardless of who
 8 my clients are in those contexts.
 9 Q. Okay. You were involved in the -- in
 10 the Mallinckrodt case, correct?
 11 A. Correct.
 12 Q. What was it that you did there?
 13 A. I was retained relatively late in
 14 that case. There was a settlement in place. There
 15 were objectors to that plan, and I was brought in
 16 to discuss the reasonableness of the settlement --
 17 Q. Okay.
 18 A. -- with regard to opioid claimants in
 19 particular was the emphasis of that.
 20 Q. Okay. If you flip to Page 17 of 30,
 21 the ECF page numbers on the top of your
 22 declaration, there is a list of selected

Page 26

1 experience.

2 Does that document help refresh your

3 recollection at all in terms of the case in which

4 you offered an expert opinion on sampling, outside

5 of this case, of course?

6 A. In general, this is the cases I'm

7 allowed to publicly disclose at this point --

8 Q. Okay.

9 A. -- so there's numerous cases on this

10 list where I would have offered opinions on

11 sampling.

12 Q. Can you give me an example of -- of

13 an opinion -- again, obviously, we can only ask you

14 about publicly available cases and you can only

15 disclose publicly available cases.

16 So looking at this list, which is the

17 universe we're working off here, can you give me an

18 example of a case which you offered an opinion on

19 sampling in?

20 A. Some of the analyses that are in the

21 public domain of what I've done on the Aearo

22 bankruptcy originally dealt with the 1 percent

Page 27

1 sample that had been drawn in the MDL --

2 Q. Okay.

3 A. -- so I didn't design that sample,

4 but I utilized that sample.

5 (Whereupon, the witness reviews the

6 material provided.)

7 THE WITNESS: Fourth bullet on

8 what's Page 18 of 30 --

9 BY MR. KAPLAN:

10 Q. Yeah.

11 A. -- is the Consumer Finance Protection

12 Bureau case in which I've designed and utilized a

13 sample.

14 (Whereupon, the witness continues to

15 review the material provided.)

16 THE WITNESS: I had input in some

17 of the sampling discussions in Bestwall. I

18 was not ultimately the person who signed off,

19 but I had input into those.

20 (Whereupon, the witness continues to

21 review the material provided.)

22 THE WITNESS: There was some

Page 28

1 sampling in the ACE Bermuda Insurance versus

2 3M arbitration.

3 (Whereupon, the witness continues to

4 review the material provided.)

5 THE WITNESS: The General Re-SCOR

6 matter, about two-thirds, three-quarters of

7 the way down Page 19, had sampling.

8 (Whereupon, the witness continues to

9 review the material provided.)

10 THE WITNESS: My recollection is

11 there was sampling in the bottom two on that

12 page.

13 MR. EVERT: That would be the

14 AIU Insurance and the THAN?

15 THE WITNESS: Yep.

16 (Whereupon, the witness continues to

17 review the material provided.)

18 THE WITNESS: I believe the fourth

19 bullet on Page 20, the National Indemnity

20 matter there versus the State of Montana.

21 I believe the next one, Newco

22 versus Allianz, had sampling.

Page 29

1 The U.S. Silica versus Ace matter

2 two-thirds the way down the page had

3 sampling.

4 I think the third from the bottom,

5 Cannon Electric versus Affiliated, had

6 sampling.

7 The Goodrich matter, penultimate

8 one on the page, had sampling.

9 I did a lot more insurance work

10 earlier in my career, and we're going to

11 start to get a long list of them if not, we

12 can keep going if that's sufficient.

13 BY MR. KAPLAN:

14 Q. Let me stop you there for a second --

15 no. Let me stop you there, which is -- in -- in

16 the cases that you identified on these first few

17 pages -- and I understand there's potentially

18 more -- were you a proponent or opponent of

19 sampling in those cases?

20 A. I don't really view it as either.

21 Q. Okay.

22 A. I mean, I'm trying to work towards

Page 30

1 getting sufficiently precise opinions for the
 2 parties to resolve a matter. And it's
 3 fact-specific as to any given matter whether
 4 sampling or a census or some other process is
 5 what's going to be most efficient in getting to
 6 resolution of the case, in reality.
 7 And so that's really how I approach
 8 these. I'm neither pro sampling or against
 9 sampling. I'm what's going to work most
 10 effectively in a given setting.
 11 Q. So let me understand.
 12 Is it your testimony that different
 13 cases can have different outcomes with respect to
 14 sampling in terms of whether it's efficient or not
 15 efficient?
 16 A. Correct. It's a cost-benefit
 17 analysis --
 18 Q. Sure.
 19 A. -- and you're looking at that
 20 cost-benefit analysis, which is going to be
 21 fact-specific to the case. And sometimes it makes
 22 sense to look at the census.

Page 31

1 In this case, for example, we are
 2 using the entire Debtors' historical claims
 3 database. We're not saying let's use a 10 percent
 4 sample of data already in electronic format. We're
 5 saying no, we use all of it because it's all
 6 already in electronic form. And that's going to,
 7 on a cost-benefit analysis, make sense as opposed
 8 to sampling from the historical claims data.
 9 You know, in contrast, when you look
 10 at claim files in the case and you say what
 11 historical claim files might want to get produced
 12 and reviewed, that's an expensive operation; you do
 13 sampling.
 14 So in one case, you turn over
 15 everything because it's already in electronic
 16 format. In the other case, because there's a large
 17 volume of manual labor and cost and time, you use a
 18 sample.
 19 So even within this case, there's
 20 places where my opinions are use all the data, and
 21 there's other places where it's use a sample of the
 22 data. It's not one or the other; it's what makes

Page 32

1 sense for the question at hand and the facts at
 2 issue.
 3 Q. Let's look at -- see if I can put
 4 this into some specifics here.
 5 You said that you offered an opinion
 6 on sampling in the Aearo Technologies case,
 7 correct?
 8 A. I said I used -- I had opinions that
 9 utilized a sample --
 10 Q. Okay.
 11 A. -- and I utilized the 1 percent
 12 sample that was preexisting from the underlying MDL
 13 proceeding.
 14 Q. All right. And in your opinion, was
 15 that sample sufficient for the purpose you were
 16 using it for?
 17 A. For the scope of the opinion I was
 18 doing, I mean, it was a constraint. It was the
 19 only thing available at the time, so it more
 20 prescribed the strength of the opinion I was able
 21 to offer.
 22 So by construction, it was sufficient

Page 33

1 for the opinion I offered. With more data, I could
 2 have offered a more refined opinion.
 3 Q. Okay. How about in the Consumer
 4 Financial Protection Bureau case? You said you
 5 offered an opinion -- I don't want to misstate
 6 it -- that utilized sampling or on sampling.
 7 Which was it?
 8 A. I designed the sample on that case --
 9 Q. Okay.
 10 A. -- it involves literally millions of
 11 phone calls. So it would be completely time
 12 prohibitive to have people listen to the millions
 13 of phone calls and do something comprehensive. So
 14 from a cost-benefit analysis, it was necessary
 15 there to use sampling.
 16 Q. I think you said you participated in
 17 Bestwall, but I think we all understand you didn't
 18 offer the principal opinion there, correct?
 19 A. I haven't filed any declarations or
 20 reports in Bestwall.
 21 Q. Okay. Good.
 22 How about -- you said ACE Bermuda --

Page 34

1 you utilized a sample there?
2 A. Correct.
3 Q. And what was the context in that
4 case?
5 A. Well, it's a Bermuda form insurance
6 action, which I think means it's all
7 confidential --
8 Q. Okay.
9 A. -- so I don't think I can really tell
10 you the substance of it outside of it's insurance
11 coverage.
12 Q. Okay. That makes it a little
13 difficult to -- how about let's go down to the
14 bottom of the page to the AIU versus
15 Philips Electric that's in Delaware Chancery?
16 Public that you can talk about?
17 A. I know the two -- the general
18 theme -- the two that are there are connected to
19 each other. It's really the same opinion in both.
20 They both stem from the THAN Trust. And AIG and
21 the THAN Trust had coverage litigation, and they
22 were seeking discovery on the underlying records

Page 35

1 from the THAN Trust itself.
2 Q. Okay. And what was it -- how did the
3 opinion on sampling work in there?
4 A. I have a recollection sampling was in
5 it, but I don't recall, sitting here. I haven't
6 reread that even if I have it still. I don't think
7 those are both in the public domain, but I'm not
8 100 percent certain of that.
9 Q. It's in the SDNY. Everything is in
10 public there.
11 Have you ever offered an expert
12 opinion on data privacy before?
13 A. No.
14 Q. All right. Do you have any type of
15 specialized training in data privacy?
16 A. I don't know what you consider
17 specialized. We have an entire technological
18 services department; we have HITRUST certification;
19 we have SOC 2 certification. Part of all of that
20 certification is training for everybody at
21 Bates White, including myself. So I've had all of
22 the training that goes with those certifications.

Page 36

1 This is where, if you say
2 "specialized," I think HITRUST would say some of
3 that is specialized, but I'm not sure what you mean
4 by that.
5 So I've gone through the training
6 that goes along with the company getting all of the
7 security credentials.
8 Q. Okay. Have you taken any -- beyond
9 what the company is -- is offering, any specific
10 type of coursework on data privacy?
11 A. No.
12 Q. Do you have any certifications, you,
13 yourself, in data privacy?
14 A. No.
15 Q. All right. Have you ever been
16 proffered as an expert in data privacy previously?
17 A. No.
18 Q. Okay. And finally -- I'm fairly
19 certain I know the answer to this, but if you tell
20 me "yes," I'm going to be pretty surprised -- which
21 is is you're not a lawyer, correct?
22 A. No.

Page 37

1 Q. All right. We're off to a good
2 start.
3 You're not qualified to offer a legal
4 opinion on the question of law, right?
5 A. That's a whole different question,
6 but I don't intend to offer any.
7 Q. Are you qualified to offer a legal
8 opinion on the Federal Rules of Civil Procedure?
9 A. I don't intend to offer any.
10 Q. Not my question.
11 Are you qualified to offer an opinion
12 on the Federal Rules of Civil Procedure, in your
13 view? This is only your view.
14 A. No.
15 Q. Okay.
16 All right. I showed you before -- if
17 we can flip back to the meat of your -- sort of
18 your declaration there, CM-1.
19 Anything in there that needs to be
20 corrected before we dive into it?
21 A. Not that I'm aware of.
22 Q. All right. Excellent.

Page 38

1 All right. In looking through the
2 declaration, Dr. Mullin, can you point me to which
3 paragraph or paragraphs contain your opinion on why
4 the proposed 10 percent sample is not sufficient
5 for the Debtors?
6 (Whereupon, the witness reviews the
7 material provided.)
8 THE WITNESS: I think the core of
9 that starts in Paragraph 15 --
10 BY MR. KAPLAN:
11 Q. Okay.
12 A. -- and probably runs through
13 Paragraph 18 of how the data would be used in broad
14 brush strokes.
15 Q. Okay. And is it your opinion that a
16 10 percent sample is not sufficient for the
17 purposes?
18 A. So it's my opinion that on a
19 cost-benefit assessment, which is how you decide
20 whether you should sample or not, the benefits
21 greatly outweigh the costs here, so it makes sense
22 to get those benefits when they outweigh the costs.

Page 39

1 So it's going to allow me an estimation to give a
2 much more precise answer and address some questions
3 that otherwise I may not be able to address or
4 quantify reliably, so it -- so, yes, because it
5 passes that cross -- cost-benefit analysis.
6 Q. Okay. Yeah, the -- is your entire
7 opinion related to the sufficiency tied to just
8 cost-benefit?
9 A. I mean, that is the fundamental
10 principle of designing a sample and when do you
11 sample and when don't you, so you can't really
12 answer these questions about is sampling
13 appropriate or not in the absence of talking about
14 what it costs.
15 If there's zero cost to having all
16 the data, you should use all the data because
17 you'll be more precise, and why would you give up
18 the precision? If it's impossible to get all the
19 data, it's a silly exercise to talk about what
20 would happen if we did get it. So the two are --
21 can't be separated, the -- what are the benefits,
22 what are the things that the data enable you to do

Page 40

1 and what's the cost of gaining access to that data.
2 That's the trade-off of sampling
3 always. So to -- you can't answer questions absent
4 that framework about sampling.
5 Q. Okay. Let me try it this way: Why
6 is -- why is a 10 percent sample not sufficient for
7 the stated purposes?
8 A. Well, so I think this is a place
9 where we need to clarify. One, the Debtors have
10 over 400,000 historical claims. I have not asked
11 for 400,000 data through counsel as a request to
12 assist in our work. We asked for 12,000; less than
13 3 percent.
14 So this isn't like the examples where
15 the Trusts say, Federal-Mogul asked for 435,000
16 Claimants; they asked for 12,000; 3 percent. So I
17 was prudent. I did take into a sense the costs of
18 this, and I asked for 3 percent through counsel to
19 get data on a very limited set of 3. And now I'm
20 being asked to go, for the sake of the analysis,
21 from 3 percent to .3, 10 percent of 3 percent.
22 So you're going to say it's

Page 41

1 10 percent?
2 I think you're asking me to take
3 .3 percent of the available data, not 10, and move
4 from what was already a request for 3 down to .3.
5 So if we're going to say 10 percent,
6 let's make sure it's 10 percent of 3 percent, which
7 I think is the intent of your question. But I want
8 to make that very clear, if that's how we're going
9 to use the terms.
10 Q. Well, let's see -- let's drill down
11 on that because I don't represent the Debtor as,
12 you know; I represent one non-party.
13 So can you explain to me how it is
14 you're getting from this 10 percent to 3 percent to
15 .3 percent? Because I'm not -- I'm not following.
16 A. Okay.
17 So the Debtors have faced hundreds of
18 thousands historical claims in the tort system.
19 Some requests that have gone to the Trusts from
20 prior parties have requested their entire
21 historical data, so hundreds of thousands of
22 claims.

Page 42

1 I don't think I need that. I have
 2 tried to filter this down in how we think about
 3 this request. We asked for 12,000. We -- I
 4 already said, anything before 2005, it's not going
 5 to give me enough information that I need to go
 6 after that right now.
 7 I eliminated all dismissed claims.
 8 Dismissed claims have been produced in other
 9 contexts. They were produced in Garlock. They
 10 contain a little bit of information that would help
 11 but not a lot. That's -- 80 percent of the
 12 mesothelioma claims, for example, against Murray
 13 resulted in dismissal. I've already eliminated
 14 those. I've constrained it to just mesothelioma
 15 claims.
 16 So it's not that I asked for the
 17 ocean through counsel in these requests. I'm
 18 seeking for estimation a very targeted subset
 19 that's going to be most informative. That's about
 20 3 percent of the historical Claimants. I'm seeking
 21 information on those three through the subpoenas --
 22 or, really, the Debtors, on my behalf, are seeking

Page 43

1 that. So that's where I'm saying we're starting at
 2 3 percent. And now others are saying, Let's go
 3 from 3 to .3, take 10 percent of that 3 percent.
 4 Q. Okay. So you're talking about the
 5 totality of the universe; you aren't being specific
 6 to -- for instance, I represent the Verus Trusts.
 7 Are you familiar with those?
 8 A. Yes.
 9 Q. Okay. Your testimony is that
 10 in -- in looking at the information the Verus
 11 Trusts potentially possess as a whole, that's how
 12 you're drilling down from 10 percent to 3 percent
 13 to .3 percent, correct?
 14 A. No.
 15 Q. Okay.
 16 Are you only looking for -- what is
 17 the limitation on the Verus Trust, then?
 18 A. So the Debtors, Aldrich and Murray,
 19 combined have over 400,000 -- received claims on
 20 behalf of 400,000-plus Claimants. So if you wanted
 21 to collect information on all the historical
 22 Claimants that have brought claims against the

Page 44

1 Debtors, you would be asking a request for over
 2 400,000 people.
 3 That's not what the request was. It
 4 was for 12,000, around 3 percent of the universe of
 5 historical Claimants that these two Debtors have
 6 received claims from.
 7 So it started targeting at 3 percent,
 8 3 out 100, and so it's the universe of Claimants
 9 who brought tort claims against the Debtors'
 10 prepetition. That's the initial universe.
 11 Q. Is it your testimony that the Verus
 12 Trusts possess 400,000 Claimants' worth of
 13 information?
 14 A. I think you can look at reports, and
 15 they have more than 400,000 Claimants that filed
 16 claims against entities by the Verus Trusts, but
 17 what's the overlap -- the question of what's the
 18 overlap between the 400,000-plus the Debtors faced
 19 and which ones are in -- file a Trust claim against
 20 Verus. But the Verus entities have received more
 21 than -- claims on behalf of more than 400,000
 22 individuals.

Page 45

1 Q. Let's try it this way: What is it
 2 that the Debtors need -- excuse me.
 3 Strike that.
 4 What is it that you need this
 5 information for that you asked the Debtors to go
 6 get it?
 7 A. So when estimating future
 8 liabilities, there's a few different steps in that
 9 process. One is, how many future people will
 10 develop mesothelioma with the types of
 11 characteristics that would make them compensable
 12 against these Debtors?
 13 When doing that exercise, the
 14 industry and occupational work backgrounds of
 15 Claimants matters. That affects the odds that they
 16 will be compensable. So when you're doing this
 17 forecast, you'd really like to break Claimants down
 18 into industry and occupational groups that have
 19 different levels of valuation associated with them.
 20 So one of the things that this data
 21 provides is, in electronic form already, a rich set
 22 of industry and occupational work history

Page 46

1 information, so you're able, then to forecast by
 2 different industry and occupational groups because
 3 they have different demographic characteristics.
 4 So some of those groups taper off more quickly, so
 5 the claims would decrease faster. Some will
 6 decrease more slowly.

7 So to get a more precise estimate of
 8 the number of future claims that the Trust would
 9 receive, you really want to do the analysis by
 10 industry and occupational groups; that both gives
 11 you a more precise estimate of the totality of the
 12 liability and, probably just as importantly, it
 13 helps you better protect future Claimants relative
 14 to pending Claimants. Because when you do this
 15 type of a forecast, forecasting the number of
 16 claims the Debtor would have received one year post
 17 petition, that's easy, relative to forecasting the
 18 number of claims the Debtor would receive 20 years
 19 post petition.

20 The further into the future you go,
 21 the more uncertainty. And so we want to minimize
 22 that because we really don't want to be in a

Page 47

1 position where future Claimants are getting paid
 2 less than the pending Claimants, so improving that
 3 forecast is important.

4 Q. Okay. Any other reason the Debtor
 5 needs the information?

6 A. So there's a second piece besides --
 7 that uses that same type of information to help you
 8 design a claims resolution process and then,
 9 similarly, helps you show that that claims
 10 resolution process is feasible at confirmation, so
 11 you're using it for those purposes as well.

12 Depending on the exercise you're
 13 doing, but, in particular, under what is often the
 14 Plaintiff's theory in these cases, you're trying to
 15 do an estimate of what Claimants would have been
 16 paid in the tort system; and that's something that
 17 varies by both industry, occupation but also law
 18 firm, jurisdiction.

19 And so when you start asking these
 20 questions, it may be that only 100 of the 1,200
 21 claims apply to a question of interest, so that's
 22 constrained to a 1,200-claim sample, but only one

Page 48

1 in 12 go to a subpopulation that I need to estimate
 2 something on behalf of; now I have only a sample
 3 size of 100 to answer that question. And that's
 4 not sufficient.

5 So when you start peeling down, if
 6 you really want to ask a question that's just one
 7 average for the whole population, 1,200 claims, in
 8 general, would be enough. But as soon as you start
 9 saying there's a subpopulation of interest, like
 10 maybe pipefitters and electricians are different
 11 from carpenters, maybe certain jurisdictions are
 12 different from others, so you need to look at a
 13 subset, I no longer get to look at 1,200 claims,
 14 and so I need those subsets to also be big enough
 15 to give reliable opinions and accurately estimate
 16 the future.

17 Q. Okay. So let me -- is it -- before
 18 we go further, any other reasons why you ask the
 19 Debtor to go get this information?

20 A. There's what's the bulk of
 21 Paragraphs 15 and 16, which is really what fraction
 22 of a Claimant's exposures were known to the Debtors

Page 49

1 at the time of settlement. So that's the thrust of
 2 Paragraphs 15 and 16 in my declaration, so that's
 3 another issue where this information would be
 4 important.

5 Q. All right. Let's start with that
 6 one, which is you say, What information was known
 7 to the Debtors at the time of settlement?

8 That is, it's -- how does that help
 9 advance the ball of the case?

10 MR. EVERT: I'm going to object to
 11 the form of the question. I'm not sure what
 12 you're asking.

13 THE WITNESS: So little bit of
 14 history: Key aspect of the Garlock case was
 15 that Judge Hodges found that not all that
 16 information had been revealed and concluded
 17 that tainted the tort history, so
 18 extrapolating historical tort settlements
 19 into the future wasn't appropriate.

20 The Plaintiffs assert -- and it
 21 may turn out to be true -- that post Garlock,
 22 that behavior stopped.

Page 50

1 BY MR. KAPLAN:
 2 Q. What behavior specifically?
 3 A. Not revealing the totality --
 4 suppressing information or not revealing --
 5 Q. Okay.
 6 A. -- all the alternative exposure
 7 information.
 8 Whether or not that stopped is an
 9 empirical question. For mine, maybe that did stop
 10 completely. Maybe it's identical to what was in
 11 Garlock. I don't have an opinion about that. I
 12 want to look at the data and have the data tell me,
 13 is that going on or not going on.
 14 That was a very salient fact in the
 15 estimation in Garlock. I would expect the outcome
 16 of that empirical exercise to be a salient fact
 17 here. So that speaks directly to an aspect of what
 18 you could potentially rely on a tort system
 19 settlement for or not. So that's one spot where
 20 answering that question is going to directly enter
 21 into an estimation process.
 22 Q. Okay. I want to show you the -- this

Page 51

1 is just an exemplar subpoena of one. I believe
 2 they all were fairly similar, but this was one that
 3 was issued to the ACandS Asbestos Trust in
 4 connection with the -- when it was grouped
 5 in New Jersey.
 6 MR. KAPLAN: We'll mark this as
 7 CM-2, and I have copies to share with
 8 everybody.
 9 (Sotto voce discussion.)
 10 --oOo--
 11 (CM Deposition Exhibit Number 2,
 12 Subpoena to Produce Documents,
 13 Information, or Objects or to Permit
 14 Inspection of Premises in a
 15 Bankruptcy Case (or Adversary
 16 Proceeding), marked for
 17 identification, as of this date.)
 18 --oOo--
 19 BY MR. KAPLAN:
 20 Q. Take a look at that, and let me know
 21 whenever you're ready.
 22 MR. KAPLAN: Just for those on the

Page 52

1 Zoom world, the cover page is not filed
 2 anywhere, but the thrust of what I'm about to
 3 talk about is filed at --
 4 MR. EVERT: It's the order
 5 granting the subpoenas --
 6 MR. KAPLAN: Yeah, Docket 1240.
 7 Yep.
 8 MR. EVERT: -- right, Docket 1240
 9 in the main case.
 10 MR. KAPLAN: Yes.
 11 BY MR. KAPLAN:
 12 Q. All right. Have you seen that
 13 document -- again, I want to focus in on the order
 14 here, Dr. Mullin.
 15 Have you seen this document before?
 16 A. I believe I've seen the order before.
 17 Q. Okay. Excellent.
 18 I want to focus you in on Paragraph 5
 19 of the order, which is, I believe, what we were
 20 just covering a moment ago, which talks about what
 21 the subpoenas are seeking evidence for.
 22 Do you see that?

Page 53

1 A. I do.
 2 Q. All right. And I believe that the
 3 first thing you spoke to me about was the -- the
 4 estimation of the Debtors' liability for current
 5 and future asbestos-related claims and the
 6 negotiation, formulation and confirmation of the
 7 plan, correct?
 8 MR. EVERT: I'm sorry.
 9 Could you repeat that question?
 10 MR. KAPLAN: Sure. I'm just
 11 trying to -- he gave me -- if I recall, there
 12 were three areas which he gave me to --
 13 BY MR. KAPLAN:
 14 Q. -- that you needed the data for: One
 15 was forecasting; one was -- call it claims
 16 resolution and -- and the Trust distribution; and
 17 the third I'll generally refer to as the "Garlock
 18 problem."
 19 Okay?
 20 Did I get those right, those three --
 21 what -- the three purposes?
 22 A. So estimating liability, of which you

Page 54

1 have a lot of inputs into, the Garlock problem is a
 2 subset of that, if it exists. You know, so there's
 3 estimating liability; and there's designing the
 4 plan; and then there's showing the plan as feasible
 5 in confirmation.
 6 Q. Okay.
 7 MR. ANSELM: I'm sorry.
 8 Could you repeat that last answer?
 9 I couldn't hear.
 10 Or could you repeat it back, what
 11 the answer was?
 12 --oOo--
 13 (Whereupon, the certified
 14 stenographer read back the pertinent
 15 part of the record.)
 16 --oOo.
 17 MR. ANSELM: Okay.
 18 BY MR. KAPLAN:
 19 Q. So that's what I was trying to drill
 20 down on, what this is.
 21 Your testimony is that this -- this
 22 -- if we look at the colon past "specifically,"

Page 55

1 there's a semicolon, and then we get to -- The
 2 estimation of the Debtors' asbestos liability is
 3 the second phrase or clause there, correct?
 4 A. Correct.
 5 Q. And that's where your testimony is is
 6 that the -- determining whether that there was a
 7 similar issue in Garlock falls in?
 8 A. Correct. This is broken out a little
 9 different, probably the phrase before that
 10 semicolon --
 11 Q. The reliable basis --
 12 A. -- in this context, is probably where
 13 the Garlock part falls; but yes.
 14 Q. Okay. And this "permitted purposes"
 15 term is a defined term that I didn't design, but
 16 I'm going to go with it.
 17 You see that term there which talks
 18 about the permitted purposes?
 19 A. I do.
 20 Q. Okay. My question is this: With
 21 respect to the first permitted purpose, the
 22 determination of whether prepetition settlements of

Page 56

1 mesothelioma claims provide a reliable basis for
 2 estimating the Debtors' asbestos liability, is it
 3 your opinion that a 10 percent sample would not be
 4 sufficient?
 5 A. For most aspects of that, I'm
 6 actually constraining myself to a 10 percent sample
 7 already.
 8 So for most aspects of that -- like,
 9 for example, whether or not all the exposures have
 10 been revealed -- there's a comparison of Trust data
 11 to underlying Claimant information as collected
 12 from the claim files, that's being envisioned as a
 13 comparison of claim file sample to the Trust data
 14 and would likely be done with approximately 1,200
 15 Claimants.
 16 So for most of the things that I
 17 think would fall under that, the 10 percent sample
 18 is already being used, because it would be --
 19 that's where the claim file production, which is
 20 not already in electronic format so has a different
 21 level of expense associated with it, has a
 22 different cost-benefit analysis. And so that's the

Page 57

1 binding constraint on addressing the bulk of what
 2 would fall under that first item.
 3 Q. Okay. How about with respect to the
 4 estimation of the Debtors' asbestos liability -- is
 5 it your opinion that a 10 percent sample would not
 6 be sufficient for that?
 7 A. "Sufficient" is probably not the term
 8 I would use.
 9 Could I perform an estimate with a
 10 10 percent sample if constrained? Yes. That
 11 estimate would have a much broader range of
 12 uncertainty about it, and so the Court would have
 13 less guidance; the Trust would have a higher risk
 14 of not reserving enough funds for future claims.
 15 So this is a question of precision,
 16 right? It's -- is it worth gaining the extra
 17 precision for whatever costs are associated with
 18 producing those data?
 19 It's still feasible to give an
 20 opinion, but you're just going to have a lot less
 21 precise about that opinion.
 22 Q. Let's stop there for a second with

Page 58

1 respect to precision.
 2 Can you quantify how much less
 3 precise 10 percent would be versus, say, for
 4 example, a 12 percent sample size?
 5 A. So there are areas where I was
 6 comfortable doing that. You know, I did drop all
 7 the dismissed claims from the request. I dropped
 8 everything that wasn't a mesothelioma from the
 9 request. So there's areas where I felt like I had
 10 the information to have confidence that
 11 constraining myself to 3 percent of the historical
 12 claims that the Debtors have received would still
 13 leave me in a position where I hadn't given very
 14 much up in terms of precision.
 15 Beyond that, it's very hard to
 16 quantify until you have the data, because you don't
 17 know what you're going to find.
 18 So, for example, if you take the
 19 Garlock-style question, if it turns out that the
 20 assertions of the Plaintiffs' bar is validated and
 21 all exposures are being revealed in a
 22 contemporaneous manner, that issue just drops out

Page 59

1 of the estimation. So I wouldn't need a large
 2 sample size if it turns out -- for that question if
 3 it turns out it never happens.
 4 In contrast, if it happens but it
 5 only happens in select jurisdictions or for select
 6 types of claims, then I need a lot more data,
 7 potentially, to address that.
 8 So saying exactly how much data you
 9 need and the critique that Dr. Wyner said, if I
 10 haven't quantified it, that's because it's not
 11 actually quantifiable at the moment, but you're
 12 taking a big risk for -- you know, on that front.
 13 On other aspects, like estimating
 14 claims by industry and occupation group, I haven't
 15 run it in this particular context, but I know the
 16 -- for example, the occupational exposure curve for
 17 construction claims goes out about 10 years further
 18 as a shift from lots of traditional industrial
 19 exposures. So having a good understanding of that
 20 can move your estimate 5 or 10 percentage points.
 21 And so knowing the breakdown of those
 22 in a fulsome manner could easily add, you know, 5

Page 60

1 or 10 percentage points of precision to the type of
 2 estimate you're making, and that would be -- when
 3 you're talking hundreds of millions of dollars, 5
 4 or 10 percentage points can be a lot of money.
 5 You know, I haven't done all that
 6 work. I don't have the data, so I don't know
 7 exactly what it's going to move it. That's
 8 something you can't know until after the fact.
 9 Q. Again, I'm trying to understand if
 10 there is a way to -- so I think I understand you
 11 said it's not quantifiable, but let me just make
 12 sure.
 13 The precision of a 10 percent versus
 14 a 15 percent sample size -- again, this is all
 15 before you have the data -- you're not able to
 16 quantify the mathematical difference in terms of
 17 how precise they would be?
 18 A. So there are places where you could
 19 be concrete.
 20 Q. Okay.
 21 A. So if you took, for example, a law
 22 firm that has 400 resolved claims and now we take a

Page 61

1 10 percent sample of 400 paid claims during the
 2 sampling period. Now we take a 10 percent sample;
 3 we'd expect to get 40. If it turns out that
 4 breaking that law firm out and doing analyses by a
 5 law firm is important, I now have a sample size of
 6 40, which is going to have three-and-a-half times
 7 the uncertainty of what I would have had with 400.
 8 400 for that law firm probably would be enough; 40
 9 is almost assuredly not. And so now, I'm going to
 10 introduce a whole bunch of uncertainty.
 11 Most of the law firms have well under
 12 400, so there's only a handful of law firms that
 13 have more than 400 paid claims during this period,
 14 so is -- for all but a handful of them, if you
 15 needed to do something by law firm, you'd want the
 16 totality of the available claims out of the 12,000.
 17 There's a couple that have more than
 18 4- or 500 claims, but it's only a couple. So
 19 that's an example where I know which law firms I'll
 20 need to break out and treat separately -- I don't
 21 know yet. When we do financial reporting work,
 22 it's common to break out 10 or 20 law firms in the

Page 62

1 analysis to get the most precise estimate of what
 2 we would expect in the tort system.
 3 So I expect I have to break it out by
 4 law firm. I expect that analysis to matter
 5 materially to the precision. And if I only get
 6 10 percent, I'm going to lose an awful lot of
 7 information from there and my work is going to be
 8 materially less precise.
 9 Q. How much less precise?
 10 A. So at the law firm level, you're
 11 going to be, again, more than tripling the amount
 12 of uncertainty. The baseline level of uncertainty
 13 is unknown. You're tripling the uncertainty, but
 14 you don't know the baseline until the data comes in
 15 and you do the analysis. So that's not answerable;
 16 the relative loss is.
 17 Q. Okay. Let me turn to the sort of
 18 last point there, and then I'll take a break for a
 19 couple of minutes.
 20 The development and evaluation of
 21 Trust distribution procedures for any plan of
 22 reorganization confirmed in these cases, the third

Page 63

1 purpose.
 2 Okay?
 3 Is a 10 percent sample sufficient for
 4 that purpose?
 5 A. It may turn out to be sufficient for
 6 some occupational groups you'd want to look at and
 7 almost assuredly insufficient for others. So,
 8 again, it's similar to law firm. Until you've done
 9 the work, you don't know how you're going to bundle
 10 those groups together, but it's typical to have
 11 multiple groups.
 12 The smallest groups are frequently
 13 the most highly paid claims, so you have a very
 14 high per-claim value in a CRP for relatively small
 15 number of people fitting it, is the typical fact
 16 pattern. So you're expecting the place that the
 17 precision matters most to be the place exactly
 18 where getting a 10 percent sample instead of all
 19 the data is going to cause you the biggest problem
 20 because it may only be that 5 percent of the claims
 21 are in that group; and so then, instead of having
 22 1,200 claims to work with, suddenly I have 60. And

Page 64

1 60 is not going to be enough for almost any
 2 reasonable statistical analysis.
 3 In contrast, 600 would be.
 4 Q. Is it your testimony here that there
 5 is no percentage, in terms of sample size, that
 6 would be sufficient?
 7 MR. EVERT: I'm just going to
 8 object. I don't think that's what he said.
 9 I think the problem is with the word
 10 "sufficient," but . . .
 11 THE WITNESS: I think quite to the
 12 opposite --
 13 BY MR. KAPLAN:
 14 Q. Okay.
 15 A. -- I didn't -- I asked for 3 percent
 16 of the data to start with.
 17 And so the context that's being lost
 18 in your questioning is before the Trusts ever
 19 received a request, I had already concluded I don't
 20 need this for 97 percent of the Claimants to do my
 21 work and get to a sufficiently precise estimate.
 22 So quite to the contrary, I'm more

Page 65

1 saying 3 percent's sufficient; .3 is not. Taking
 2 away 90 percent of the 3 percent request? No, that
 3 wouldn't be sufficient; the 3 percent is.
 4 So I did that work up front and
 5 constrained the request to only 3 percent of the
 6 data.
 7 MR. KAPLAN: Okay. All right.
 8 Why don't we take five minutes here? Try to
 9 actually make it five minutes, if we can. If
 10 not, it will be 10.
 11 We'll go off the record.
 12 --oOo--
 13 (Whereupon, a recess was taken from
 14 1:59 p.m. EDT to 2:10 p.m. EDT.)
 15 --oOo--
 16 BY MR. KAPLAN:
 17 Q. All right. Dr. Mullin, we're back
 18 from the break.
 19 Any reason you can't continue?
 20 A. No.
 21 Q. Okay. Not at least this break.
 22 Before we left, you said, a couple

Page 66

1 different times -- you were talking about you
2 had -- there was a universe of 400,000 claims which
3 you limited to -- which you said was 3 percent of
4 that and then took it down to .3 -- were being
5 asked to take it to .3 percent.
6 Do you remember we were discussing
7 that?
8 A. Yes.
9 Q. Okay. The 400,000 claims that you --
10 that the claims universe was starting with -- are
11 they all mesothelioma claims?
12 A. No.
13 Q. Okay. Approximately how many of the
14 400,000 are mesothelioma claims?
15 A. I don't know the exact count.
16 Q. That's why I asked for an
17 approximation, because I figured you didn't.
18 A. More than 25,000, less than 50-.
19 Q. Okay. And were you asked to do an
20 analysis of nonmesothelioma claims?
21 A. Estimation is currently constrained
22 to mesothelioma claims, but any plan of

Page 67

1 reorganization will have to address all claims.
2 So for the purposes of the current
3 scope of estimation, mesothelioma claims is what is
4 needed, but eventually you'll have to design a
5 claims resolution process for all claims.
6 Q. Okay. And you also talked about
7 claims -- you eliminated claims that were
8 dismissed, correct?
9 A. Correct.
10 Q. Were you asked to analyze claims that
11 were dismissed?
12 A. Yes.
13 Q. Okay. And how is it that you would
14 be analyzing the claims that were dismissed?
15 A. A fundamental question when valuing
16 claims is which ones will be dismissed and which
17 ones will be paid. So you often compare the
18 characteristics of dismissed claims to paid claims.
19 If you only look at characteristics
20 of paid claims and say these characteristics are
21 associated with payment, it may turn out that those
22 exact same characteristics are also associated with

Page 68

1 claims that don't get paid. So you -- to figure
2 out what subsets of claims would be paid, dismissed
3 claims are relevant.
4 Q. How about -- where do administrative
5 settlements factor into your analysis?
6 A. So administrative settlements, in
7 many ways, for estimating liability make the
8 problem more difficult because, frequently, in the
9 context of administrative settlements, underlying
10 Defendants and these Debtors, in particular, have
11 not gone through as exhaustive a discovery process,
12 so they contain less information about the
13 characteristics of those claims. And understanding
14 the characteristics of the actual claims is
15 relevant for projecting the number of future
16 claims.
17 Q. Okay. So I think you said just a
18 moment ago that you were approximating somewhere
19 between 25- to 50- mesothelioma claims of the
20 universe of 400.
21 Did I get that right?
22 A. It's thousands on end of all of those

Page 69

1 numbers, but yes.
2 Q. For -- yes. Let's get that right for
3 the record purposes because, otherwise, one of us
4 will try and use it later.
5 A. Don't know which one that would be.
6 Q. You can bank on that --
7 MR. ANSELM: It depends.
8 BY MR. KAPLAN:
9 Q. -- you can bank on -- no, I'm
10 kidding.
11 Your testimony was, if I'm correct,
12 that of the 400,000 or so claims, you believe that
13 25- to 50,000 are mesothelioma claims?
14 A. Claims, yes. Claimants -- it might
15 be a little lower. I'm -- 80 percent of the Murray
16 claims were dismissed; 50 percent of the Aldrich
17 claims are dismissed. So you need more than double
18 the 12,000 because, over half, you have a dismissal
19 rate even for one that's half and 80 percent for
20 the other. So that's really where I got to the
21 lower number of about 25,000.
22 But it could go -- how much higher

Page 70

1 than that it goes -- that could go -- I haven't
 2 tabulated it. So it's more than 25,000, and I'm
 3 confident it's less than 50- but probably closer to
 4 25- than 50-.

5 Q. And the subpoenas that brought us all
 6 together on this lovely spring day in
 7 Washington, D.C. -- they are seeking information
 8 about mesothelioma -- mesothelioma claims, correct?

9 A. The request was constrained to 12,000
 10 mesothelioma claims; that's correct.

11 Q. Okay. So how is it that we get to
 12 the 3 percent, .3 percent when you have -- you're
 13 looking for information from 12,000 mesothelioma --
 14 mesothelioma Claimants out of 25- to 50,000? That
 15 seems like a higher percentage. I'm not a
 16 statistician, but . . .

17 A. I answered this question before,
 18 which is there's over 400,000 Claimants. I chose
 19 not to -- I chose -- I asked -- I did not ask the
 20 client to seek information on nonmesothelioma
 21 Claimants despite the fact that those could be
 22 relevant for designing claims resolution processes

Page 71

1 or claim -- or claim feasibility. They could still
 2 be helpful in terms of the questions that are
 3 relevant, but they are not as important as the
 4 mesothelioma.

5 So I made a choice to constrain and
 6 not ask for anything that wasn't mesothelioma.

7 Q. You would agree with me that if there
 8 were, for example, 25,000 mesothelioma Claimants
 9 total, 12,000 is just shy of half, right?

10 A. It would be 48 percent if there were
 11 25,000. I can do that math on the fly.

12 Q. Thank goodness, because all the
 13 lawyers in the room were looking for their iPhones.

14 All right. That's -- that's
 15 48 percent.

16 And if it were 50,000, can you do
 17 that math on the fly?

18 A. Just multiply by 2, so 24 percent.

19 Q. Excellent.

20 So that's not 3 percent, correct?

21 A. It's more than 3 percent of the
 22 mesothelioma claims. I always said it was

Page 72

1 3 percent of the approximately 400,000. And I've
 2 been clear with you the whole time that that was
 3 all diseases.

4 So if you switch the denominator, the
 5 percentage will change no matter -- and you can
 6 switch it to anything else, and it will be a new
 7 percentage, too. It's not what I was saying
 8 before.

9 I was actually using the universe of
 10 claims historically brought against the debts is
 11 what's north of 400,000.

12 Q. Right. And we agree that the
 13 universe of mesothelioma claims are lower than
 14 that, correct?

15 A. Correct. They have claims of people
 16 without mesothelioma.

17 Q. Let's turn back -- let's look at
 18 Paragraph 15 of your declaration, which is CM 1 for
 19 the record purposes.

20 And certainly feel free to look at
 21 whatever, but I want to focus in on the last
 22 sentence.

Page 73

1 Whenever you're ready, Doctor, the
 2 last sentence in Paragraph 15.

3 A. Yes.

4 Q. Yeah. So what you're talking about
 5 here is that -- provide more data that will improve
 6 the quality of our estimation and
 7 claims forecasting work.

8 And we've talked a lot about this
 9 previously.

10 Do you see that?

11 A. I do see that.

12 Q. The number that we're sort of arguing
 13 about in the context of this hearing are
 14 somewhere -- a number between 1,200 claim files and
 15 12,000 claim files, correct? Can we agree on that?

16 A. I think these are electronic records,
 17 not claim files. But 1,200 -- 12,000 Claimants --
 18 the information on 12,000 Claimants versus the
 19 information on 1,200 Claimants.

20 Q. Okay. Let's talk -- let's use
 21 Claimants, then, so we're both saying the same
 22 thing.

Page 74

1 We're talking about the difference
 2 between 1,200 Claimants and 12,000 Claimants,
 3 correct?
 4 A. Correct.
 5 Q. All right. How much -- can you
 6 quantify for me how much getting the, say, 2,400
 7 Claimant files would improve the estimation in
 8 claims forecasting?
 9 A. So -- and what you can do
 10 definitively is talk about what's the relative
 11 improvement in precision. This is actually a place
 12 where Dr. Wyner and I don't disagree. The basic
 13 statistical formulas move with the square root of
 14 the sample size. So if you quadruple the sample
 15 size, you double your precision. You take the
 16 square root of the relative movement.
 17 So asking to take a 10th of the
 18 sample is asking you to slightly more than triple
 19 your level of uncertainty in everything you're
 20 doing.
 21 So we're going to present things to
 22 the Court that have three times -- a little bit

Page 75

1 more than three times the uncertainty about them
 2 than if we had the 12,000. We know that's going to
 3 be the relative impact.
 4 Q. Let's start with the 1,200 out of the
 5 12,000.
 6 What -- can you quantify the level of
 7 precision there?
 8 A. Again, it depends on the question.
 9 So I don't disagree with what Dr. Wyner put in,
 10 where he said, If you're asking the question about
 11 a proportion for the totality of the population.
 12 He applied that formula correctly.
 13 If, on the other hand, you want a
 14 proportion for one law firm, and that law firm has
 15 300 records that now we only sampled 30, you're
 16 going to apply that same formula to a population or
 17 a sample of 30 and you're going to have very large
 18 confidence intervals. You can apply the same
 19 mathematical formula. I don't do those in my head.
 20 But you will have confidence intervals that are
 21 quite broad that -- in my experience, broad enough
 22 that most courts would say, that's not very

Page 76

1 precise; I don't know if we're going to rely on it.
 2 So it's a question of how large of a
 3 subpopulation are we able to analyze. And that's,
 4 I think, the main difference between what Dr. Wyner
 5 was looking at and myself. He's implicitly assumed
 6 you always only care about a question for the
 7 entire population so you get to use all 1,200
 8 files.
 9 And as soon as you go to questions
 10 that involve a subset of the population -- maybe
 11 the liability differs by gender, and you want to
 12 look at females separately, but they're only
 13 20 percent of the Claimants.
 14 Now, if gender matters, I don't have
 15 1,200; I have 240. I don't have 12,000. I'm
 16 already down to a 20 percent sample, in essence,
 17 because only 20 percent of the Claimants are
 18 female.
 19 So as soon as you start looking at
 20 subpopulations of interest, 1,200 within a
 21 subpopulation would be sufficient, but there's many
 22 subpopulations that would have less than 1,200 if I

Page 77

1 take a 10 percent sample.
 2 Q. Is there a way to design the sample
 3 so that it addresses the subpopulations you're
 4 interested in?
 5 A. You could attempt to mitigate. So
 6 you could say I want 1,200 females out of the 2,400
 7 or so females, if you were to -- out of the --
 8 yeah, 2,400 out of -- if it's about 20 percent, and
 9 then 1,200 males. You could make it bigger, and
 10 that might address that question.
 11 But then if you go to law firm -- if
 12 there's a law firm that only has 300 claims --
 13 Dr. Wyner and I, I think, agree that 30 claims is
 14 not enough. We'll probably learn in his deposition
 15 whether he thinks 30 claims is sufficient, but, you
 16 know, at 300, we'd probably agree -- I don't want
 17 to put words in his mouth, but -- on the
 18 statistical formulas, that you'd need all 300.
 19 So for any law firm that has less
 20 than somewhere usually in the 3- to 500 range, most
 21 statisticians are going to say you really need to
 22 look at all of them if you want to be able to use

Page 78

1 that data to make projections about the future.
2 Q. Let's talk about -- turning back to
3 the -- the 10 percent sample that is being
4 discussed here, is there a way to design the sample
5 size to address the stated purposes that you're
6 looking for?
7 A. You can mitigate, right -- you can
8 mitigate the risk. And that is what you do in
9 sample design. Whenever you take a sample, you're
10 always taking a risk that you actually won't have
11 the information you need. It's in -- it's
12 intrinsic to sampling.
13 And the smaller you make the sample,
14 the greater that risk becomes because the ultimate
15 answer is only known after the fact. You don't
16 know ahead of time.
17 And so, in this context, yes, you can
18 design things that mitigate that risk, but you
19 can't eliminate it. And the smaller you make the
20 sample, the greater that risk becomes.
21 Q. And sitting here today, can you give
22 me -- can you quantify what the risk is if the

Page 79

1 Court were to order just the 10 percent sample, or
2 1,200 Claimants?
3 A. As I said, I can't give you a
4 specific number because that's not known until
5 after you have the data and you do the analysis.
6 That said, in general, if you want to
7 forecast liability, particularly if you want to
8 forecast what Claimants would have received in the
9 tort system, you need to control for law firm and
10 jurisdiction. Those are two things that, when I do
11 financial reporting disclosure work, I will control
12 for. When you're looking at future tort system
13 spend, you control for those two elements.
14 If you start controlling for those
15 two here and you look at a law firm in a given
16 jurisdiction, there's only a couple law firms and
17 jurisdictions that have more than 400 claims. So
18 in those, maybe you could sample, and you would
19 still end up with more than 10,000 claims, because
20 for the vast majority, this -- you're already at a
21 size where you wish you had more data.
22 Q. Maybe I just missed it.

Page 80

1 I guess your testimony -- am I
2 correct your testimony is you cannot quantify the
3 risk sitting here today, put a number on it? If
4 the sample -- what I mean -- by "quantify," I mean
5 it's only 30 percent reliable or 40 percent
6 reliable or 50 percent reliable.
7 A. So, ultimately, the Court, in my
8 experience, is who tells me whether it's reliable
9 or not. What I tell the Court is what's the
10 uncertainty of the estimate.
11 And so every time you tell me to
12 triple my uncertainty, I get nervous. If three
13 different inputs all tell me to triple my
14 uncertainty -- this is one input into estimation.
15 Now the uncertainty is 27 times as big.
16 Going into a court where I might have
17 been able to say, Here's an estimate plus or minus
18 30 million, you tell me to triple, and now I have
19 to say, Here's an estimate plus or minus 90. But I
20 have another input that also adds uncertainty of
21 threefold. Now, instead of plus or minus 90, it's
22 plus or minus 270.

Page 81

1 Each uncertainty interacts with the
2 other ones, and they -- it's more multiplicative in
3 nature. So it's not that this is the only
4 parameter that matters and creates uncertainty;
5 there are others. And as you fold them, they start
6 to get larger.
7 So this is a place where sampling at
8 10 percent will likely approximately triple the
9 uncertainty for key inputs into the model.
10 Tripling that uncertainty means I'm going to triple
11 my confidence with the uncertainty at the end.
12 And I don't see the costs as
13 justifying that, given the benefit of being able to
14 triple my precision and the guidance I give a
15 court, when, in the best case, a scenario is
16 already going to be you have tens of millions of
17 uncertainty; so now you're going to triple that.
18 That's adding an awful lot of uncertainty -- tens
19 of millions at least of uncertainty to the
20 estimate.
21 So you said "quantify." Going to the
22 10 percent sample will add tens of millions of

Page 82

1 uncertainty, maybe 100 million. I don't know. I
 2 haven't done that work. But it will be at least in
 3 the tens of millions based on historical
 4 experience.
 5 Q. When you say "uncertainty," can you
 6 explain what it is you mean there? There's
 7 factors -- is that factors or variables you can't
 8 account for? Or what is that?
 9 A. I would have less data to be able to
 10 refine an estimate. So that future estimate will
 11 have greater statistical -- that will add
 12 statistical uncertainty on top of the other types
 13 of uncertainty that already exist. And so it's
 14 going to expand any level of confidence you have in
 15 an estimate; "expand" in the sense of degrade your
 16 confidence, expand the uncertainty.
 17 Q. Let's look at Paragraph 16, which
 18 is -- again, I'm focusing on the end of it, which
 19 is where you say, This would enable us to quantify
 20 the proportion of alternative exposure disclosed to
 21 the Debtors at the time of settlement.
 22 You see that?

Page 83

1 A. I do.
 2 Q. Is it your testimony that the
 3 1,200-Claimant sample is not sufficient for that
 4 purpose?
 5 A. No.
 6 Q. It is sufficient for that purpose?
 7 A. I'm actually -- the sample of claim
 8 files were going to juxtapose that with this
 9 currently approximately 1,200. So that compares --
 10 that requires the comparison of the two. So that's
 11 already being envisioned for that specific question
 12 of only looking at 1,200.
 13 And that's really motivated by the
 14 cost of producing and reviewing claim files,
 15 because they're not already in electronic format.
 16 If all that information was in electronic format,
 17 I'd use more data than that, but it's not, so the
 18 cost is materially higher.
 19 Q. Paragraph 17, you talk about The
 20 variations in disclosure patterns would allow us to
 21 model the impact of the partial information on
 22 settlement amounts.

Page 84

1 Do you see that, Doctor?
 2 A. I do.
 3 Q. Is it your testimony that a
 4 10 percent sample of 1,200 Claimants wouldn't be
 5 sufficient for that purpose?
 6 A. It may be. And, initially, that's
 7 what I'm going to try to do it with because, again,
 8 I'm only going to have that quantified for the ones
 9 that are contrasted with claim files.
 10 If you learn, for example -- a
 11 complete hypothetical -- say Claimants represented
 12 by counsel -- or counsel represented by 25 -- let
 13 me get it right. I'll start that over.
 14 Let's say there's a subset of law
 15 firms that represent 25 percent of the historical
 16 Claimants, where a small fraction of the exposures
 17 are being disclosed, but for the law firms that
 18 represent the other 75 percent of Claimants, almost
 19 everything's been disclosed.
 20 I may not have enough data for that
 21 25 percent, but then I would do a targeted
 22 follow-up of -- to try to fill that information in,

Page 85

1 and -- as opposed to asking for it over the whole
 2 universe.
 3 So I really view this as a two-step
 4 process: the first, which is really Paragraph 16,
 5 where, if at all, is full disclosure not occurring,
 6 which gets -- so for which claims is the --
 7 Paragraph 17 even a relevant question.
 8 And then not knowing the answer to
 9 that, I view this as -- I may be able to do it with
 10 1,200. I may need to supplement at some point to
 11 get precision.
 12 Q. Okay. Short of a -- I think you
 13 referred to it as a "census" or a "population,"
 14 when you talk about all the claims.
 15 Correct? That's what you're
 16 referring to?
 17 You said in your report a couple
 18 times, you know, a census -- a population-level
 19 census analysis.
 20 That would be all 12,000, correct?
 21 A. Correct.
 22 Q. Okay. Is there a number -- you know,

Page 86

1 as you said a moment ago, it's the judge who's
 2 going to tell you what ultimately is reliable, and
 3 I would probably agree with that statement to the
 4 extent that I'm sure you're going to give the judge
 5 an opinion on what number he should come out at.
 6 Is there some number short of 12,000
 7 that you are comfortable opining to the judge would
 8 be sufficiently reliable for the purposes we
 9 discussed?
 10 A. As I said, I went about this really
 11 asking that question ex ante and how could I
 12 minimize the size of the request counsel would make
 13 on my behalf for data. And I already -- the things
 14 that I was comfortable eliminating, I've
 15 eliminated, which got me down to the 12,000. And
 16 so I've gone through that process already.
 17 So I'm not at the point where I'd say
 18 I'm comfortable making it smaller. You can do all
 19 the analysis with a sample of 1,200; you can do all
 20 the analyses with a sample of 6,000. You'll just
 21 have less precision.
 22 Whether that precision turns out to

Page 87

1 be binding on the ultimate reliability in the
 2 Court's eyes, one, it's a question for the Court;
 3 but, two, it's where those numbers work out at the
 4 end.
 5 If you could give an estimate that
 6 was plus or minus, you know, a dollar and it became
 7 plus or minus \$3, the Court would probably be fine
 8 with that; but if it was plus or minus 50 million,
 9 it became plus or minus 150 million, the Court may
 10 really not be okay with that. That may be too
 11 broad of a range.
 12 But that's where, when you say
 13 "trip" -- when I think of it as tripling my
 14 uncertainty, until you've done the work, I don't
 15 know if I'm going -- no, I'm not going from \$1 to
 16 \$3; I can't be that precise -- but I don't know if
 17 I'm going from 50 to 150 million or if I'm going
 18 from 20 million to 60 million. I don't know the
 19 answer to those things until I've done the work.
 20 Q. Again, I want to focus you on just
 21 the mesothelioma claims, because that's what --
 22 what we're talking about here is -- your testimony

Page 88

1 is that you are not prepared to offer -- to suggest
 2 that any number short of 12,000 is sufficient,
 3 correct?
 4 MR. EVERT: Object to the form of
 5 the question.
 6 THE WITNESS: Again, "sufficient"
 7 I -- I don't think is the right term, which
 8 is why I struggle with answering that
 9 question. I think you are taking unnecessary
 10 risks relative to the cost of data production
 11 to reduce it further. And I would advise
 12 against it.
 13 BY MR. KAPLAN:
 14 Q. Okay. I'm using "sufficient" because
 15 I believe the Judge's words were "doesn't work."
 16 So let me ask it this way, which is:
 17 Is it your testimony that only the 12,000 Claimants
 18 will work for the Debtors' purposes?
 19 A. I'll try this a different way, see if
 20 we can get on the same page.
 21 No statistician can tell you the
 22 sample size you need before the data is produced in

Page 89

1 a discovery exercise like this to say the number of
 2 claims at which it will work. What happens is the
 3 more claims you get, the higher the probability
 4 that it will work becomes.
 5 So it's not -- whether you -- there's
 6 almost no difference, right, if you give 12,000
 7 claims or 11,999. The odds that that 12,000th
 8 claim was the linchpin to take you from working to
 9 not working is almost zero, right? But at the same
 10 time, no one can tell if you go from 12,000 to
 11 11,000, that may be what swings it. Going from 11
 12 to 10 may be what does.
 13 But as you shrink, the odds that the
 14 analysis you would want to perform to give the
 15 Court better guidance would become unfeasible. And
 16 it's a statistical probability. It's not a known
 17 thing until you have the data and it's after the
 18 fact.
 19 It's like default risk in that sense.
 20 As somebody becomes riskier, their odds of default
 21 goes up. But it doesn't -- you don't know yet if
 22 they're going to default or not; you just know the

Page 90

1 odds are up.
 2 As you shrink the sample size, the
 3 odds that you won't be able to give sufficient
 4 guidance rise.
 5 Q. Let me just see if we can get on the
 6 same page -- I appreciate that -- which is is can
 7 you estimate and forecast based on 1,200 Claimants?
 8 A. It is feasible to do all the math,
 9 and you will have a broader confidence interval, so
 10 you will give up precision. But you -- you will
 11 get an estimate with a substantially broader
 12 confidence interval of degree of uncertainty about
 13 that estimate.
 14 Q. Can you quantify the proportion of
 15 alternative exposures disclosed to the Debtors at
 16 the time of settlement with the 1,200 Claimants?
 17 A. As we said before, that's what I'm
 18 trying to do, is I'm using the 1,200 for which --
 19 the claim files. That sample isn't finalized yet,
 20 but that's the size that's being discussed of the
 21 claims result for positive payment -- would be
 22 using those 1,200 and comparing those to the Trust

Page 91

1 data to do that.
 2 My intent is to do that. I am
 3 optimistic that will work. I can't guarantee it.
 4 And if you needed to supplement, you may, for
 5 certain law firms, need to supplement additional
 6 claim files, but you would already have the Trust
 7 data necessary.
 8 Q. Can you create the model you discuss
 9 in Paragraph 17 and the impact of partial
 10 information on settlement amounts with the 1,200
 11 Claimants?
 12 A. Not as a materially higher
 13 probability of not being feasible with the 1,200
 14 than the analysis in Paragraph 16, but it depends
 15 on how large of a subpopulation actually is failing
 16 to disclose all of the exposures contemporaneously.
 17 It's really going to hinge on the
 18 answer to a question that is unknown until we
 19 observe the Trust data.
 20 Q. So let me just ask it this way, which
 21 is easiest: I know you're talking about the
 22 reliability of the model. Can you create the model

Page 92

1 you're envisioning with the 1,200 Claimants?
 2 A. You can do it mathematically. Will
 3 it result in a level of precision -- I'll phrase it
 4 differently.
 5 I can always do the math, but if the
 6 precision is lacking sufficiently, it should still
 7 be thrown out on Daubert because you don't have
 8 sufficient guidance. There are standards where you
 9 can't just say, Here's an estimate; I have no idea
 10 how accurate it is. You actually need to give
 11 sufficient precision for someone to rely on it.
 12 The Court ultimately decides what
 13 that level of precision is; I don't. But I can do
 14 the math. It doesn't mean that the math will
 15 produce a number that the Court finds useful.
 16 So the model can mechanically work.
 17 But will it provide sufficient guidance to be
 18 deemed reliable by the Court? The odds that the
 19 answer to that is no go up as you shrink the sample
 20 size.
 21 Q. Okay. Turn to Paragraph 19 of your
 22 declaration, if we could. You talk about cost and

Page 93

1 benefits of sampling, which is in a -- I have
 2 some -- just specific questions for you here, which
 3 is, let's start with, What kind of sample is it
 4 that's being proposed here?
 5 Assuming that the 1,200 would be --
 6 is how the Court -- what they stick with, what kind
 7 of sample is being proposed?
 8 A. Stratified random sampling.
 9 Q. Is there a different type of sample
 10 that would be more or less reliable -- or let's
 11 just stick with more reliable.
 12 A. So ex post, again, once you know the
 13 answer, you can always go back and design a better
 14 sample than the one you did ex ante because you
 15 have more information.
 16 So when you design a sample, you use
 17 historical experience to guide you on where there's
 18 likely to be more information or what types of
 19 Claimants are more important to the questions that
 20 you're asking, so the stratification is imposing
 21 certain assumptions. If those assumptions turn out
 22 to be directionally correct, then the sample

Page 94

1 stratifying will be more efficient than taking a
 2 simple random sample.
 3 There's really good reasons to
 4 believe that, for example, oversampling the
 5 high-value claims will lead to more precision. It
 6 could turn out not to be true, but in almost every
 7 case like this in the past, almost every case I've
 8 ever done that's involved a mass tort, that
 9 produces greater efficiency than not doing it.
 10 Q. Okay. Let's skip ahead a
 11 couple minutes here, and I want to talk to you a
 12 little bit about the Court's second question, which
 13 is the -- why sampling wouldn't reduce the risk of
 14 even just human error, missing some of the PII
 15 being disclosed.
 16 Where in your declaration is it that
 17 you're discussing that?
 18 MR. EVERT: While he's looking,
 19 Andrew and Michael, I was going to say
 20 earlier, the declaration sort of says what it
 21 says, so I'd object. It's something that
 22 limits the paragraph he picks, but I hear --

Page 95

1 I hear the fair point of your question.
 2 (Whereupon, the witness reviews the
 3 material provided.)
 4 THE WITNESS: The bulk of that
 5 information expands Paragraphs 23 to
 6 Paragraph 30.
 7 BY MR. KAPLAN:
 8 Q. And these are the paragraphs that
 9 talk about the process in place to scrub the PII,
 10 correct, as well as the base and what's the ability
 11 to maintain that information, or are we looking at
 12 different ones?
 13 A. That is part of the content.
 14 Q. Outside of what is contained in --
 15 and, again, I certainly appreciate counsel's
 16 point -- outside of what is contained in this -- in
 17 these paragraphs, are you going to offer any other
 18 opinion as to why the proposed 10 percent sample,
 19 or 1,200 Claimants, doesn't reduce the risk of PII
 20 being disclosed?
 21 A. So for the Claimants themselves -- I
 22 mean, I don't know -- I don't think this is

Page 96

1 inconsistent with what's in here in any way, but
 2 for the Claimants themselves, Bates White already
 3 possesses the PII. If we don't have the PII, it's
 4 not in the request. It's only people where we know
 5 the name and we know the Social Security number.
 6 We're never asking the Trust to send
 7 us PII. So the only PII that's at risk that would
 8 be incremental would be information that
 9 Bates White actually doesn't want. It's
 10 information that was in an exposure field that, as
 11 I understand it, the Delaware facility is going to
 12 take a pass at redacting that. Bates White has its
 13 own obligation to redact that. So it has to be in
 14 the field to start with, failed to get redacted by
 15 the Delaware facility, failed to get redacted by
 16 Bates White, and then have a data breach.
 17 So if we had 12,000 Claimants, if
 18 5 percent of the Claimants had a field with some
 19 additional PII, 99 percent of it gets redacted by
 20 Delaware, 99 percent of what they gets missed gets
 21 redacted by Bates White, you're talking .01
 22 incremental piece of PII, when you would have

Page 97

1 already 12,000 people's PII in a data breach.
 2 So going from 12,000 people to
 3 12,001, I don't want to be trivial about anybody's
 4 PII, but it's one more out of 12,000. So when you
 5 say, is this materially increasing the risk that
 6 already exists, going from 12,000 to 12,001, that's
 7 not a particularly material increase.
 8 So this process, this specter that's
 9 being put out there for this, is so remote that,
 10 no, I don't put a lot of weight on it, because by
 11 the time you go through two levels of redaction and
 12 you need a data breach on top of it, you know, this
 13 is not going to produce a material number of people
 14 relative to the PII that is already out there.
 15 Q. When you say "out there," you mean
 16 already in Bates and White's system?
 17 A. Well, it's in Bates White; it's in
 18 the Debtors', it's in Verus; it's in the Delaware
 19 facility --
 20 Q. Sure.
 21 A. -- it's with, you know, Ankara, if
 22 they downloaded the claims database; it's with LAS.

Page 98

1 I mean, all the various parties working in the case
 2 who have the Debtors' database or have the same
 3 Claimants in a different context also all have that
 4 PII, so all of these parties, in general, possess
 5 the PII to start with. You're not fundamentally
 6 changing that risk.
 7 Q. You talked a moment ago about a data
 8 breach.
 9 Are Bates and White's systems
 10 infallible?
 11 A. I don't think there's any system
 12 that's infallible.
 13 Q. Okay. Are you aware of whether
 14 Bates and White's systems have ever been breached
 15 prior to today?
 16 A. They have not.
 17 Q. In any form at all? No hacks? No
 18 phishing? No nothing?
 19 I'm not talking about the Claimant
 20 files.
 21 A. So my technical services people will
 22 tell me people attempt to breach our systems

Page 99

1 multiple times every day. That's probably the
 2 training that all of you get, too, right?
 3 We've never had a data loss.
 4 A breach, has somebody ever clicked
 5 on a link somewhere, but there's so many layers of
 6 security, it doesn't go anywhere.
 7 We've never had a data loss.
 8 You know, what you call a "breach,"
 9 depending on how you define that, every single
 10 entity in the world has. If you say, Did any of
 11 your employees ever click on a false link, then
 12 every organization has. So -- but did it result in
 13 anything?
 14 Bates White has never had a data
 15 loss.
 16 Q. Okay. And when you say -- I want to
 17 make sure that we're talking about the same thing
 18 because this would be a scenario where we -- we
 19 would talk past each other.
 20 Are you aware of proprietary
 21 information on Bates White's system ever being
 22 accessed by an external actor?

Page 100

1 A. No.
 2 Q. Okay. Your testimony is not that
 3 Bates and White's -- Bates and White cannot be
 4 hacked, correct?
 5 A. As I said, I don't think there's any
 6 system out there --
 7 Q. Right.
 8 A. -- that it's impossible for a
 9 sufficiently motivated party to potentially hack.
 10 MR. EVERT: If the Russian
 11 government wants your data, they can get your
 12 data.
 13 MR. KAPLAN: I'm fairly certain
 14 they have mine, so I'm okay with it already,
 15 just to be clear.
 16 MR. EVERT: We heard that, but --
 17 (Laughter.)
 18 MR. KAPLAN: Yeah. It's because
 19 I'm a Philadelphia fan; they have everyone's.
 20 BY MR. KAPLAN:
 21 Q. You agree with me, Doctor, that you
 22 can't be 100 percent certain that the data will not

Page 101

1 be improperly accessed, correct?
 2 A. I agree. I don't think anybody in
 3 any -- I mean, I don't think the data sitting at
 4 Verus or the Delaware facility can be 100 percent
 5 certain. There's no such system.
 6 Q. Thankfully, they're not sitting here
 7 for your deposition today, so I'll ask them another
 8 time, maybe.
 9 All right. Let's turn now, as
 10 promised much earlier, to Dr. Wyner's report.
 11 MR. KAPLAN: And we'll mark this
 12 as -- I think we're up to 3, correct -- to 3.
 13 I was able to keep track of that,
 14 look at that.
 15 --oOo--
 16 (CM Deposition Exhibit Number 3,
 17 Expert Report of Abraham J. Wyner,
 18 Ph.D., marked for identification, as
 19 of this date.)
 20 --oOo--
 21 MR. KAPLAN: I don't know how many
 22 I printed so . . .

Page 102

1 (Sotto voce discussion.)
 2 BY MR. KAPLAN:
 3 Q. Given that -- you've seen this
 4 before, correct, Dr. Mullin?
 5 A. Correct.
 6 Q. I believe you said you were
 7 discussing it with your team in advance of today.
 8 Which part or parts of Dr. Wyner's
 9 opinion is it that you take issue with?
 10 MR. EVERT: I object to the form
 11 of the question.
 12 Is that really fair?
 13 Do you want to walk him through
 14 each paragraph, or do you want to --
 15 MR. KAPLAN: I just want to know
 16 what he disagrees with. You told me he's not
 17 going to produce a rebuttal report, so I'm
 18 not going to get an opportunity to hear -- to
 19 get it on a line-by-line. I want to know
 20 what he's got an issue with here.
 21 MR. EVERT: Do you think you can
 22 do that?

Page 103

1 THE WITNESS: I'm going to be
 2 talking for a while. That's a very broad,
 3 open question. I'm happy to answer it, but
 4 I'm going to ask you not to ask follow-up
 5 questions until I finish, because I need to
 6 give a complete answer if we're going to do
 7 that. I don't want to get segued halfway
 8 through by a follow-up and then be told that,
 9 no, you didn't finish and so that's it.
 10 BY MR. KAPLAN:
 11 Q. You have my absolute word. I'm ready
 12 for you to tell me what it is you have an issue
 13 with.
 14 A. Start on Paragraph 6.
 15 Q. Okay.
 16 A. He says, As described in detail
 17 below, it is my opinion that a random sample -- a
 18 random 10 percent sample of 1,200 Claimants would
 19 fulfill all of the Debtors' reasonable needs.
 20 He never defines "reasonable needs."
 21 He never defines "all." So he's made this blanket
 22 statement with a universal qualifier. And at no

Page 104

1 point he -- does he -- he covers two specific
 2 questions in his report, two. He entirely ignores
 3 the question that the 90 percent of the data that
 4 the Trusts are requesting that not get produced
 5 would be used. He only addresses two questions,
 6 where my intent was to only use the 10 percent of
 7 the data that would be produced in the sample.
 8 So if -- and the critique is, On the
 9 questions where Dr. Mullin's already only going to
 10 use a 10 percent sample, a 10 percent sample
 11 suffices; ergo, it suffices for everything.
 12 The latter doesn't follow. He
 13 addressed the two places where I'm already
 14 constraining myself to a 10 percent sample and
 15 saying, There, it's enough.
 16 He doesn't talk anything outside of
 17 that scope anywhere. Yet it doesn't even define
 18 what those other reasonable uses would be, yet has
 19 this universal statement with no backing anywhere
 20 in the report.
 21 So at its highest level, you can put
 22 almost every complaint I have under that category.

Page 105

1 I don't think he has any idea how I'm going to use
 2 the data. I don't know how he could.
 3 I'm going to go forward and do an
 4 estimation report. I've given broad categories of
 5 how I would use that. And he's made a statement
 6 that "all reasonable" ways.
 7 As we talked through earlier, I
 8 expect to have to condition things on law firm and
 9 jurisdiction because that's frequently very
 10 important.
 11 It may turn out not to be here, but
 12 it's much more likely that it would be than not.
 13 And he has no opinions about what happens as soon
 14 as you need to address the subpopulation. All of
 15 his opinions are assuming I'm only looking at the
 16 entire universe at once, that he's disclosed here
 17 at least.
 18 And so I expect to have to look at
 19 subpopulations. Jurisdiction, law firm would be a
 20 key one. Gender could easily come up as one, you
 21 know, and industry and occupational groups. I
 22 expect to use that data to put people into

Page 106

1 clustered groups that behave similarly and then do
 2 extrapolations based on each of those subgroups.
 3 So he has entirely ignored what
 4 happens when only a subset of the sample is
 5 applicable to the question of interest.
 6 And if you look at simple tabulations
 7 in the data, like paid mesothelioma claims by law
 8 firm, paid mesothelioma claims by gender, paid
 9 mesothelioma claims by jurisdiction, you see really
 10 quickly that if you sample, you're not going to
 11 have enough data to answer those questions.
 12 You know, so at a big level, that's
 13 the overarching problem with his whole report.
 14 He very much mischaracterizes the
 15 testimony of my partner, Dr. Jorge Gallardo-Garcia.
 16 He asserts in Paragraph 8 that Dr. Gallardo-Garcia
 17 clearly states that sampling is sufficient.
 18 He does not state that. If you go
 19 read his report, he makes it clear that there's a
 20 court order that constrains him to 10 percent, and
 21 within that, he's going to design the most
 22 sufficient sample -- the most efficient sample he

Page 107

1 can -- but he actually is explicit that that's not
 2 what he believes is best, but he's got an external
 3 constraint forcing him.
 4 To that point, I speak with
 5 Dr. Gallardo-Garcia on a regular basis. His office
 6 is a few doors from mine. I know that is not his
 7 opinion. So I don't know how he's reaching that
 8 when you read that report in totality, but it is
 9 explicitly wrong.
 10 There's an irony. Well, he complains
 11 that At no point does Dr. Mullin quantify the
 12 potential loss of accuracy.
 13 I think he very much knows that is an
 14 exercise you can't do ex ante when the very data
 15 you're seeking is fundamental to what
 16 subpopulations you need to analyze later. That's
 17 an impossibility.
 18 The irony is, he reaches a conclusion
 19 that the 10 percent sample is enough in a
 20 cost-benefit without ever quantifying the cost. So
 21 if he's going to complain that you have to quantify
 22 an element of it and he's reaching the opposite

Page 108

1 conclusion without ever quantifying the loss, the
 2 cost, and his -- one of his clients has done this
 3 exercise, so one of his clients has already
 4 redacted information for a different request.
 5 So instead of all of us sitting here
 6 in the dark and saying, How often does this PII
 7 show up in these exposure fields, there's one --
 8 one of his clients knows the answer to that in the
 9 context of DPMP. He either didn't ask him for
 10 that, they didn't disclose it to him, but he could
 11 know, oh, that occurs in one in a thousand records,
 12 one in 100 records, one in two records, which could
 13 greatly inform this question.
 14 He could also ask them, when they did
 15 their redaction process and their quality control
 16 on it, did they think they eliminated half of them?
 17 Ninety-five percent? Ninety-nine percent? So how
 18 many do you think slipped through?
 19 He's silent even though his client
 20 actually has done this exercise once and has the
 21 data. So the person who could actually quantify
 22 the cost whose client has access to know exactly

Page 109

1 how many records have this information and
 2 presumably has done quality control on that process
 3 to know what their rate of eliminating it is, he
 4 stays silent on, you know, that information. Yet
 5 he concludes at the same time, even though his
 6 client has this data, that the cost-benefit
 7 analysis isn't justified.
 8 So if we had that information, you
 9 would be able to be much more precise. I gave a
 10 hypothetical; 5 percent of the fields have it;
 11 99 percent get cleaned up by the facility;
 12 99 percent get cleaned up of what was missed by
 13 Bates White to get to 0 or 1.
 14 The first two numbers in that, they
 15 actually know. So those are knowable. So are we
 16 really looking at a handful of PII coming through?
 17 Thousands? I hope not thousands of records, given
 18 they went through that process. But he doesn't
 19 access any of that even though his client has it.
 20 As an expert, if my client has
 21 information directly on point and doesn't share it
 22 with me -- you should ask for it; hopefully, they

Page 110

1 volunteer it. So I'm -- that part confuses me as
 2 to why that's not in his report, given he has
 3 access. As I said, there's an irony because he has
 4 the ability to quantify and stays silent.
 5 Going back to Paragraph 9, the second
 6 sentence, Such a sample has already been discussed
 7 in the Bestwall declaration, which does not
 8 identify any attribute of the population that
 9 cannot be accurately studied with a sample.
 10 The purpose of that declaration is
 11 not to answer that question. The purpose of that
 12 declaration is to say, What's the most efficient
 13 sample we can get, given a third-party constraint
 14 that it's at 10 percent?
 15 It wasn't a declaration intending to
 16 say, And these are the things that we can't do
 17 accurately with that.
 18 So its absence drawing inference from
 19 that, when that's not the topic of the declaration,
 20 is misleading.
 21 So Paragraph 10, I think I've largely
 22 already covered.

Page 111

1 And his NFL analogy, in 11, is really
 2 quite misleading. We're talking about a tenfold
 3 difference in sample size, and he's talking about a
 4 .0 -- .01 difference in inches of height.
 5 So the right analogy there is the one
 6 I gave you before, where if you said -- if you told
 7 me I can't have 12,000 claims, I get 11,999, we
 8 would probably just all go home. Right? That's
 9 the analogy to that. It's not -- you know, the
 10 proper analogy here would be more like, Oh, you
 11 have one that's 6-foot, 1 inches tall, and the
 12 other is 5'4". You're talking about a very large
 13 difference, a tenfold difference, not a very small
 14 difference. So while the -- I think the proper
 15 conclusion from that is actually in the exact
 16 opposite direction.
 17 Paragraph 12 suffers the same flaw of
 18 him saying, for the purposes described by
 19 Dr. Mullin and the Debtors' reasonable needs.
 20 He never says what that's meant to
 21 cover. He doesn't define "reasonable needs." I
 22 don't know how, you know -- without specifying what

Page 112

1 he's putting in that bucket, how he can reach that
 2 conclusion.
 3 His premise in Paragraph 13 is
 4 actually incorrect. He -- we actually do have a
 5 potential problem of sampling bias. We're using
 6 the historical Claimants to draw inferences about
 7 future Claimants. The demographics of Claimants is
 8 not constant through time. And so if you take --
 9 if you erroneously conclude that I'm going to have
 10 the same ratio of men to women, the same age
 11 distribution over the next 30 years of Claimants as
 12 I have in the last 10, you'll be very wrong. Those
 13 things shift through time.
 14 So we have a historical sample where
 15 we're not actually trying to value the historical
 16 claims; we're trying to use information about the
 17 historical Claimants to draw inferences about
 18 future claims.
 19 So while the group I have to sample
 20 is fixed, that group has different characteristics
 21 than the future claims, and I need to control for
 22 those differences or I will have bias.

Page 113

1 So it's actually very much in the
 2 opposite direction of his conclusion. If he
 3 understood that, it reverses the point from what he
 4 is making.
 5 That same flaw in logic really
 6 applies throughout.
 7 So while I don't disagree with any of
 8 his math on Paragraphs 15 through 20, he bases it
 9 all on examples where the undisclosed alternative
 10 exposures is either 5 percent of what was available
 11 or 10 percent, and then he ends up concluding that
 12 this will, in percentage points, create a really
 13 small confidence interval amount. If he just
 14 assumed that it never happened, then he would say
 15 it's 0 and his confidence interval would be, I know
 16 that with virtual certainty and it's 0.
 17 So when you push a probability
 18 towards 0 or 1, you actually minimize the impact of
 19 these factors.
 20 So if you ran the exact same math but
 21 it turned out there's a subpopulation where half of
 22 the alternative exposures are not being disclosed,

Page 114

1 it's not in Paragraph 20, 1.5 percentage points any
 2 longer. It gets dramatically bigger, and the
 3 difference is about fivefold. So you would be
 4 saying, instead of 1.5 percent, 7.5 percent.
 5 So he's chosen an example that skews
 6 things low in the direction of the outcome that his
 7 client desires as opposed to choosing the example
 8 that's more -- that could go in the other
 9 direction, but it's not the -- you know, so this
 10 idea that, in practice, however, the standard error
 11 for a simple sample of 1,200 observations will
 12 usually be a lot smaller than 1.5 percent,
 13 that's -- you know, you can get to certain things
 14 -- if you're not looking at a subpopulation, you're
 15 looking at certain scenarios, that may be the
 16 outcome, but you may have a very large confidence
 17 interval if you end up with there's a subpopulation
 18 of interest and you need to get it for that.
 19 And so his mathematical formulas are
 20 right, but he's really assuming throughout you only
 21 care about the whole population, which, of course,
 22 gives you no ability to change for changing

Page 115

1 demographic characteristics because you have an
 2 estimate for one mix of demographics only, and you
 3 really need the estimates for each of the
 4 demographic groups to know how to remix that going
 5 forward to match the future population. And he's
 6 completely ignoring that fact through this whole
 7 process.
 8 So Paragraph 24, he gets into
 9 estimating impact of potential nondisclosure of
 10 alternative exposures. His first sentence, Because
 11 the proportion of nondisclosed Claimants has a very
 12 small standard error, it follows, if all the
 13 settlements were the same size, that the standard
 14 error of the overall average impact would also be
 15 small.
 16 Not only does it follow that; under
 17 that assumption, the impact is zero and you don't
 18 need to estimate anything. So if you assume the
 19 problem away, because everybody gets the same
 20 settlement amount whether they disclosed or not --
 21 so he's assumed there's no impact -- if we assume
 22 that it can't happen and has no impact, then we are

Page 116

1 very precise when under that assumption, our
 2 estimate is no impact.
 3 So that -- it's a complete
 4 misrepresentation of the real world. He's
 5 literally assumed it has no impact. It's like
 6 assuming it never occurs and then estimating that
 7 you don't need a lot of data for things that never
 8 occurred to get -- get the probabilities very low.
 9 So he's really in a corner solution
 10 that makes no sense. If settlements are not the
 11 same size, so now we're, at least, in the relevant
 12 framework, a stratified sample can be drawn that
 13 over-samples the claims with the highest variation.
 14 You really can't. This, again, shows
 15 a fundamental misunderstanding.
 16 What we're trying to get is the
 17 connection between the amount of disclosed
 18 exposures, which is unknown at the time of
 19 designing the sample. So he's saying, Let's look
 20 at a parameter that we don't know right now and
 21 stratify on it.
 22 This is not a classic statistics

Page 117

1 exercise. It also has discovery in it.
 2 You're learning about one of these
 3 variables. You can't stratify on the variable that
 4 you don't know yet. And that's what he's telling
 5 me to do in this paragraph, is to stratify on a
 6 variable that I won't know until after I get the
 7 data in the sample.
 8 So that's actually completely
 9 infeasible, but it shows a fundamental lack of
 10 understanding that this is a discovery exercise and
 11 I don't know that. If I already knew it, I
 12 wouldn't need a sample, right? I would already
 13 have the information.
 14 So that's a place that it's just
 15 disconnected from the exercise that's going on.
 16 He's suggesting something that's completely
 17 infeasible.
 18 There is no finite sample correction
 19 factor, which he has in Paragraph 14, because we
 20 aren't trying to estimate the impact for the
 21 historical Claimants. We're trying to use the
 22 historical Claimants to talk about pending and

Page 118

1 future claims. So we are always estimating.
2 The finite sample correction factor
3 applies to people you want to estimate that you
4 don't need to estimate now because the sample told
5 you the answer for those people.
6 We don't have any of those. These
7 are all historical claims.
8 We're not estimating what they get
9 paid. They've been paid. They've been released.
10 So, again, it shows that fundamental
11 misunderstanding of what we're actually trying to
12 accomplish.
13 If you don't understand how the data
14 is being used, you don't know how to design the
15 sample, you don't know what sample size you need,
16 and he's just repeatedly displaying his ignorance
17 as to how the data are actually being used in
18 estimations.
19 And, you know, it's things like this
20 that are huge red flags that he doesn't actually
21 know the facts of the situation, so he's applying
22 the wrong statistical tools to the question.

Page 119

1 Paragraph 25 is just wrong. He says,
2 Beyond the two parameters discussed above,
3 Dr. Mullin doesn't specify precisely or intimate at
4 any other parameter of -- parameters of interest.
5 We can go back, where -- this is
6 where he has entirely ignored Paragraph 15 of my
7 report. He chose to do an example for
8 Paragraph 16, an example for Paragraph 17. But
9 Paragraph 16, where you're really talking about the
10 need to control maybe for industry and occupational
11 groups, the need -- all the uses beyond is where
12 all the composure is revealed, he's ignored that
13 entire discussion in my report.
14 And, apparently, according to him, I
15 didn't even intimate any other parameters of
16 interest. So he seems to have skipped certain
17 paragraphs in the reading of my report to reach
18 that conclusion.
19 He talks, in Paragraph 26, about
20 anecdotes. In my experience, it's common for both
21 sides in a litigation to use anecdotes. They're
22 not necessarily statistically representative, but

Page 120

1 developing anecdotes is frequently done by both
2 defendants and plaintiffs in cases. So I don't
3 know if he's trying to insinuate that's bad or
4 good. It's a little unclear. But he at least
5 acknowledges that, to the degree anecdotes by
6 either side are important, a larger sample would
7 enable that better.
8 So it seems to be the one place where
9 he acknowledges that that's something where a
10 larger sample may be worthwhile.
11 So when we get into Paragraph 27,
12 again, he doesn't define "reasonable needs." He
13 doesn't appear to understand how it's being used.
14 So I don't know what he actually knows, but based
15 on what's -- he's written, you know, he makes
16 statements that are inconsistent with how the data
17 would be used. So I don't know, without him
18 stating what he believes the reasonable needs
19 are -- either his list is incomplete or his
20 conclusion is wrong.
21 It's wrong either way, but whether
22 it's because he has an incomplete list of the

Page 121

1 reasonable needs or he actually does know the full
2 list, hasn't specified them, then the data is
3 important for that list.
4 So Paragraph 28 makes me suspicious
5 that Dr. Wyner has not spent much time in a
6 litigation environment. The analytical burden of
7 sampling, I do discuss. When you sample in a
8 discovery process, so you learn more information
9 after having seen it, it is not uncommon for
10 experts to assert some form of ex post
11 stratification on the data to improve the
12 efficiency of an extrapolation.
13 There is lots of room for experts to
14 disagree about that. And I have been in many cases
15 where months, if not more, have been spent on
16 parties litigating over what is the proper way to
17 extrapolate.
18 If you're in the pure ivory tower
19 academic, prespecified population and I'm not
20 extrapolating outside of that population but I'm
21 going right back to the population I sampled from,
22 those problems don't exist, and then it's

Page 122

1 relatively straightforward mathematically.
2 But in a litigation setting, where
3 you need to control for differences going forward,
4 this can become a very expensive and drawn-out
5 process, and so steps to minimize that, I would
6 advise clients on, because it -- otherwise, you can
7 get into a lot of gamesmanship in that phase.
8 So Paragraph 29, I agree that if you
9 used statistical calculations that are required to
10 compete with the standard errors is not
11 particularly burdensome, that's correct, if all the
12 experts agree on which methodology to use to do it
13 in the first place. So it's a methodological
14 fight, not a computational fight. The computations
15 are straightforward. The methodology is not
16 necessarily straightforward.
17 He is correct -- and he nods a little
18 bit to this in the next sentence -- data analysis
19 on the full data set. He says, It's not
20 substantial -- substantively easier, especially
21 since there will be statistical challenges of all
22 types that will arise, sampling or no sampling.

Page 123

1 It's an interesting sentence because
2 most of his opinions are based in the framework
3 where that doesn't happen, so acknowledging that,
4 you're exacerbating that if you sample from this
5 group. So he's correct that many of the problems
6 will still exist, but you will exacerbate those
7 problems and you will get likely more litigation
8 around it as opposed to -- if you exacerbate the
9 issue.
10 He's definitely correct at the end of
11 that paragraph that he puts in bold. The sentence
12 before it defines the "these," but These will
13 introduce new uncertainty, distinct and
14 irreducible, and not due to sampling.
15 That is correct, but that emphasizes
16 the need for as much precision as you can get
17 through the sampling exercise. If I have two
18 sources of error, they compound each other; so the
19 gain in precision, knowing that I have other
20 irreducible error of improving my precision through
21 this sampling exercise, gets larger. That means
22 there's a bigger return having a larger sample size

Page 124

1 than if there wasn't irreducible error for other
2 sources.
3 So the fact that those other things
4 are irreducible and you can't reduce them
5 dramatically increases the return for reducing them
6 in the places where you can, because these interact
7 with each other.
8 That's really the same critique of
9 Paragraph 30.
10 The IRS critique in Paragraph 31, I
11 don't agree with. The IRS does not have the
12 resources to do what he is asking them to do, as he
13 says is their charge, so they definitely, because
14 they are resource-constrained, can't do that. So
15 they do at times use sampling. Other times, they
16 use a census.
17 They're making the point that when
18 it's all available electronically, a census doesn't
19 cost particularly more, so, okay, when it's all
20 available electronically, we'll take a much broader
21 review than if it's not available electronically.
22 They are resource-constrained. The

Page 125

1 cost of doing nonelectronic records is higher, so
2 we take fewer. The cost of electronic records is
3 lower, so we take more. That's the only point of
4 citing to it. It's no different than the Debtors
5 here who said, Our historical claims database will
6 produce the entirety of it; you can have all of it;
7 it's in electronic form; no need to sample.
8 Underlying claim files, there's a
9 need to sample. Those aren't already in electronic
10 form.
11 So the main point is, things in
12 electronic form are low cost to produce and you
13 take dramatically more, potentially all, than
14 things not already in electronic form.
15 Paragraph 32, he says, Because
16 there's no practical loss in accuracy created by
17 sampling -- and he goes on -- there's no need for,
18 draws other conclusions.
19 He appears to be focused entirely on
20 estimating a proportion for the entire universe of
21 12,000 historically paid claims. And on that,
22 there isn't really a practical loss in accuracy.

Page 126

1 And if that was the only thing you needed, I, too,
2 am already only using 1,200 claims for that because
3 that's what the claims file sample is. But to go
4 broader, if you're using it to estimate the number
5 of future claims and you want to do that by
6 industry and occupational groups, again, if you're
7 going to value by law firm or by jurisdiction, that
8 no longer applies.
9 So, again, it shows -- it just goes
10 back to that lack of fundamental understanding of
11 what is the exercise.
12 His last part about a data breach, in
13 Paragraph 32, there's already 12,000 people whose
14 PII is at risk. We're going to add a small number
15 to that, a number that were in the data field -- in
16 the exposure fields that the Trusts failed to
17 redact and Bates White fails to redact.
18 So we're not really getting -- if
19 there were a data breach, we aren't going from --
20 we don't get a 90 percent reduction. The 12,000 is
21 the same 12,000. So you're going to have the
22 12,000 and you're going to add a few more, or

Page 127

1 instead of saying adding 10 more, maybe add one
2 more; instead of adding one more, maybe add 0, but
3 the 12,000 is still there.
4 So the real risk of the data breach
5 is the 12,000 we already have, not the handful that
6 are going to make it through all the screenings
7 that come along first. So saying this is
8 fundamentally changing the risk of data breach is
9 ignoring the amount of data that's sitting at risk.
10 You know, and there's lots of things being done to
11 minimize the odds of that. I don't disagree that
12 you can't drive it to 0, but it's a very low
13 possibility.
14 Q. Excellent.
15 I kept my bargain that I wasn't going
16 to interrupt you in the middle of it, so --
17 MR. EVERT: That, you did. Thank
18 you very much, Michael.
19 MR. KAPLAN: Yes.
20 BY MR. KAPLAN:
21 Q. Let me ask you a couple of questions,
22 then I think it's time for another break, which is,

Page 128

1 you discussed very early on -- and I wrote this
2 down -- this fundamental misunderstanding of the
3 subpopulation that you would like to study and work
4 off of. I think you said it in response to almost
5 the first paragraph, Paragraph 6, where you were
6 talking about -- when we were discussing reasonable
7 needs.
8 Do you recall that?
9 A. Yes.
10 Q. Where in your declaration,
11 Dr. Mullin, do you talk about the subpopulations
12 that you want to study?
13 (Whereupon, the witness reviews the
14 material provided.)
15 THE WITNESS: So this is in
16 Paragraph 15. In particular, if you go to
17 the middle of that paragraph, there's a
18 sentence, Further, the relationship of
19 exposures alleged to the various occupations
20 and trades of the Debtors' historical
21 Claimants and the extent to which the full
22 range of the alleged exposures is changing

Page 129

1 over time are important to estimating a
2 Defendant's legal liability share.
3 So that's talking specifically
4 about industry and occupation and being able
5 to do things at that level to control for
6 those changes through time.
7 BY MR. KAPLAN:
8 Q. You agree with me that sentence
9 doesn't talk about various law firms, though,
10 correct?
11 A. That does not. The reference to --
12 if you're familiar with the Garlock record, I
13 didn't try to rehash the entire Garlock record.
14 There's a paragraph on that.
15 In Garlock, Claimants represented by
16 about -- or law firms who represented about
17 25 percent of the Claimants are the ones where
18 there appeared to be -- you know, not all the
19 exposures were being revealed, and for the other
20 75 percent, they were.
21 So I wrote this assuming you had some
22 knowledge of the case. I understand from this that

Page 130

1 you, personally, do not, in terms of these details
 2 in the background, but with the -- with that
 3 knowledge, I didn't try to give the whole history
 4 again.
 5 But if you're familiar with the
 6 process and you're an expert in this field:
 7 Controlling by law firm, controlling by
 8 jurisdiction are fundamental things. It's done
 9 routinely.
 10 So I didn't state things that, to any
 11 expert or person who does this regularly, would
 12 seem obvious --
 13 Q. You assumed?
 14 A. -- it's very much in the Garlock
 15 record.
 16 I didn't -- I didn't write it for a
 17 complete layperson who knew nothing about the
 18 context of estimation. That is correct. I did not
 19 write it for a person completely ignorant about
 20 that entire process.
 21 MR. KAPLAN: All right. Let's
 22 take -- I don't know -- five or so minutes,

Page 131

1 same as we did last time, and we'll come on
 2 back.
 3 --oOo--
 4 (Whereupon, a recess was taken from
 5 3:26 p.m. EDT to 3:39 p.m. EDT.)
 6 --oOo--
 7 BY MR. KAPLAN:
 8 Q. Dr. Mullin, I just have a few more
 9 questions, and then I'm going to switch -- pass
 10 and -- and move on, which is, we were -- before the
 11 break, we were talking about the -- the
 12 subpopulations, and you pointed me to Paragraph 15.
 13 And then you spoke about Garlock and the
 14 assumptions you would make.
 15 Where is it in your report that you
 16 talk about the gender subpopulations that you
 17 wanted to analyze?
 18 A. I don't think I call out gender
 19 specifically. There's numerous subpopulations that
 20 could turn out to be relevant. It's not intended
 21 to be an itemized list of everything.
 22 Q. All right. Let's turn to the --

Page 132

1 the -- one of the questions you -- one of the areas
 2 you do talk about is Claimants that have multiple
 3 areas of exposure -- multiple potential exposure
 4 sources, correct? That's one of the issues, you
 5 said, and you talk about it in the context of
 6 Garlock also.
 7 Am I right?
 8 A. I'm in the wrong report. Give me a
 9 second.
 10 Q. I'm sure Dr. Wyner's report has a lot
 11 of excellent information for you.
 12 MR. ANSEMI: If you want to adopt
 13 his findings, we'll be fine.
 14 (Laughter.)
 15 THE WITNESS: I'm going to have to
 16 ask you to repeat your question.
 17 BY MR. KAPLAN:
 18 Q. Yeah. It's not a problem. I'm just
 19 trying to bring us into -- in Paragraph 15, one of
 20 the things you talk about is the alternative
 21 exposure allegations. And that was one of the
 22 things you -- I believe that you criticized

Page 133

1 Dr. Wyner for not talking about, was the
 2 alternative exposure sources.
 3 Correct?
 4 A. He talks about that in the sense of
 5 what proportion of them are disclosed, right.
 6 What I was making reference to, in
 7 particular, was to the fact that the occupational
 8 industrial mix changes through time. So you
 9 actually need to estimate those by industry or
 10 occupational groups, and you can't just have one
 11 answer for the whole population.
 12 So industry and occupation is going
 13 to create subpopulations of interest where you're
 14 going to need to estimate parameters for each of
 15 those subpopulations.
 16 Q. I want to focus on something a little
 17 more narrow, which is we can agree, correct,
 18 because -- although I'm not an expert in this
 19 particular field -- that a mesothelioma Claimant
 20 likely has multiple sources of exposure?
 21 A. Many do --
 22 Q. Okay.

Page 134

1 A. -- those that have material exposure
 2 to gaskets typically do. In other settings, that
 3 may not be true, so I don't want to overgeneralize.
 4 But for these Debtors, I think, typically, a
 5 Claimant would have exposure to a multitude of
 6 products.
 7 Q. Okay. And one of the -- you've made
 8 the point of highlighting the Garlock matter, which
 9 is where, you know, as you stated, certain
 10 Claimants did not disclose all of their alternative
 11 sources of exposure, correct?
 12 A. That was ultimately the findings of
 13 Judge Hodges.
 14 Q. Sure.
 15 Let's -- I want to understand with
 16 this subset of data that you -- this set of data
 17 that we're looking at here with the 12,000
 18 Claimants, which is, how is it that you're counting
 19 it? And let me break that down for you, which is
 20 that if one Claimant has five sources of exposure,
 21 we agree that's five potential separate claims they
 22 could make, right?

Page 135

1 A. It could be more than that depending
 2 on what the exposure is to.
 3 Q. I agree. I'm using five because
 4 that's how many fingers I have on one hand.
 5 Okay?
 6 A. Okay.
 7 Q. It looked good when I held it up.
 8 How is it that you are counting that?
 9 Because -- is that five separate claims for
 10 estimation, or is that one Claimant?
 11 A. So the unit of analysis is going to
 12 be the Claimant. You're ultimately evaluating a
 13 future Claimant or a pending Claimant's claim
 14 against these Debtors. So it may be two claims in
 15 that sense that you may value: one, their claim
 16 against Aldrich; and, two, their claim against
 17 Murray.
 18 But you want to know what are the
 19 totality of exposures for that one individual. And
 20 the breadth of alternative exposures is directly
 21 relevant to the strength of their claim against
 22 Aldrich or Murray.

Page 136

1 Q. Okay. So we're -- the unit, then, is
 2 Claimant and not claim for estimation purposes?
 3 A. To be clear, it's two distinct
 4 Debtors in a consolidated action. But as I
 5 understand my charge, I don't say, Here's their
 6 combined liability at the end of the day. At the
 7 end of the day, I may be asked to have one estimate
 8 for Aldrich and an alternative estimate for Murray.
 9 So there's -- it's not -- if there's
 10 an individual that claimed against Aldrich but
 11 never filed a claim against Murray, that Claimant
 12 is not going to be informative about estimating
 13 Murray's future liability.
 14 So I won't have all -- that's
 15 probably your most obvious two-set populations of
 16 interest, the two Debtors. Some Claimants sued --
 17 named both. Many Claimants named one but not the
 18 other.
 19 Q. Where is that discussed in your
 20 report?
 21 A. In the report?
 22 Q. Yeah.

Page 137

1 A. That's -- that's not discussed. I
 2 mean, many things in this report -- this
 3 declaration is filed within the context of the case
 4 to the benefit of the judge, who actually confirmed
 5 the Garlock plan and has seen prior filings.
 6 So I'm not writing, as I said, to a
 7 lay audience that has zero context or knowledge.
 8 I'm writing to an individual that has a lot of
 9 context and knowledge. So many of those things
 10 aren't stated for a second time here.
 11 Q. How is it, then, that parties --
 12 excuse me -- nonparties to the case who aren't the
 13 judge, who didn't confirm the Garlock plan -- how
 14 are they supposed to know what the basis of your
 15 opinion are, then, if they're not stated?
 16 MR. EVERT: I'm going to object to
 17 the form of the question.
 18 THE WITNESS: Again, it's done
 19 within the context. There's a lot of other
 20 filings in the case. I think the -- the
 21 two -- I don't -- I would never assume -- I
 22 don't know why a party would assume you

Page 138

1 estimate one number for two Debtors. That's
 2 a strange assumption, in my mind.
 3 So if you're saying that's -- to
 4 me, that's obvious. So if that's not obvious
 5 to a reading audience, okay. I didn't call
 6 out that particular item. I don't really
 7 view that as fault, although it may be
 8 beneficial to some parties.
 9 But, typically, I think you hire
 10 somebody who's familiar with the context who
 11 can fill you in on context. That's, in my
 12 experience, what my clients do. If something
 13 comes in their lap that they don't have
 14 firsthand knowledge of, they gain that
 15 knowledge through who they hire to advise
 16 them.
 17 BY MR. KAPLAN:
 18 Q. Okay. You've talked a few times
 19 today about tripling your uncertainty or
 20 quadrupling your uncertainty or doubling your
 21 uncertainty.
 22 We've had a few of those exchanges,

Page 139

1 correct?
 2 A. Correct.
 3 Q. When you say "tripling your
 4 uncertainty," what number is it that you're
 5 starting from?
 6 A. So we've gone around this barn two or
 7 three times now, at least.
 8 Q. I'm aware. Yeah.
 9 A. Do you want me to say asked and
 10 answered, or -- I mean, you're saying you're aware
 11 --
 12 MR. ANSELM: That's his --
 13 THE WITNESS: -- okay. I don't
 14 understand your question because it seems to
 15 be identical to what you've already asked me
 16 three times. And if you are asking me the
 17 same thing again, I stand by my answer.
 18 If you intend a different meaning
 19 than what you asked me before, I don't
 20 understand your question, and please clarify.
 21 BY MR. KAPLAN:
 22 Q. Excellent. I enjoy when experts play

Page 140

1 lawyer. It looks great.
 2 The -- my question for you is this:
 3 If you start with an uncertainty of, let's say, for
 4 instance, 1 percent uncertainty and you're tripling
 5 that, you're now at 3 percent uncertainty, correct?
 6 A. Correct.
 7 Q. So my question for you is -- and you
 8 have said -- you have said 50 million, 100 million,
 9 150 million. You've said 400,000 today. You've
 10 said a lot of big numbers, but what -- what you
 11 haven't said to me is what level -- what is the --
 12 the uncertainty associated with using 1,200
 13 Claimants for this sample.
 14 MR. EVERT: I think this is when
 15 I'm supposed to say asked and answered.
 16 MR. KAPLAN: Okay. That's fine.
 17 That's good. You say whatever you want.
 18 You're fine.
 19 BY MR. KAPLAN:
 20 Q. You answer the questions.
 21 MR. EVERT: I think he's said,
 22 Michael, a number of times --

Page 141

1 MR. ANSELM: Let him say it.
 2 MR. EVERT: Okay.
 3 THE WITNESS: Again, I believe
 4 I've addressed this at least two if not three
 5 times. I believe those answers were
 6 complete. I will try this one more time for
 7 you.
 8 You can't know the answer to how
 9 much uncertainty you have before you have the
 10 data in front of you. That is impossible.
 11 So nobody can tell you -- and this is true of
 12 every single sampling exercise that's done
 13 when it has a discovery component leading to
 14 an analysis not estimating a proportion for
 15 the historical population but an actual
 16 estimation component to it, particularly out
 17 of sample, like this would be done. You
 18 don't know that ahead of time. It's -- it's
 19 an infeasible question to give a precise
 20 number to.
 21 That said, based on my experience
 22 doing this, if I'm going to look at something

Page 142

1 like but-for tort spend, which is typically
 2 the plaintiff theory in these cases -- and
 3 I'm probably going to have to address that at
 4 some point -- the uncertainty -- if we had --
 5 the baseline uncertainty is very likely
 6 initially in the tens of millions. Whether
 7 that's 15 million, 30 million, I don't know,
 8 but it's -- it's very likely in the tens of
 9 millions, not single-digit millions, not
 10 hundreds. That's just based on having done
 11 this exercise across numerous entities
 12 through time.
 13 Now, if I triple that, I'm adding
 14 30 to maybe 200 million of uncertainty,
 15 depending on where we are initial -- our
 16 initial uncertainty may be 20. If our
 17 initial uncertainty was 10 -- I don't think
 18 we're going to be that low -- you would be
 19 adding plus or minus 20 million. If the
 20 initial uncertainty was 70 million, now
 21 you're at plus or minus 210 million.
 22 It's going to have an effect in

Page 143

1 that range. I don't know where, but it's
 2 almost assuredly going to fall somewhere in
 3 that range, based on historical experience.
 4 But I can't give you a precise
 5 number. I can only give you that kind of
 6 general guidance because no one can answer
 7 the question you're actually asking.
 8 BY MR. KAPLAN:
 9 Q. Okay. Last question is, Is the sort
 10 of mathematical extrapolation we did from the
 11 400,000 down to the 12,000 -- where is that in your
 12 declaration?
 13 You can phone a friend, and he's
 14 shaking his head.
 15 MR. EVERT: Yeah. I'm just going
 16 to interrupt. You're thinking of your
 17 earlier declaration -- it was in your initial
 18 declaration; it wasn't in this the sample
 19 declaration.
 20 THE WITNESS: I was going to say
 21 that information is in the record; it's not
 22 in this declaration. So that information has

Page 144

1 been provided and at least -- I guess not the
 2 Trust, but the FCR, the ACC have all had
 3 access to that underlying database for a long
 4 time.
 5 MR. KAPLAN: Okay. That's all the
 6 questions I have for now. I'm going to step
 7 aside to whoever -- Mr. Guerke.
 8 MR. GUERKE: I will go next.
 9 --oOo--
 10 EXAMINATION BY COUNSEL FOR DCPF
 11 --oOo--
 12 BY MR. GUERKE:
 13 Q. Good afternoon, Dr. Mullin.
 14 A. Good afternoon.
 15 Q. My name is Kevin Guerke.
 16 I represent the Delaware Claims
 17 Processing Facility, sometimes referred to as
 18 "DCPF."
 19 Are you familiar with that?
 20 A. I am.
 21 Q. If -- if I ask you questions and
 22 refer to "DCPF," will you know what I'm talking

Page 145

1 about?
 2 A. Yes.
 3 Q. You just were discussing that 400,000
 4 Claimants with -- with counsel.
 5 And I think, earlier today, you
 6 testified that there were roughly 400,000 Claimants
 7 that submitted claims to the two Debtor entities;
 8 is that correct?
 9 A. I said there's more than 400,000.
 10 Q. More than 400,000?
 11 A. Claimants?
 12 Q. Yeah. Is that your testimony?
 13 A. Across the two, that's my
 14 recollection, sitting here. I think there's an
 15 exact tabulation somewhere.
 16 Q. And of those 400,000 or so, roughly
 17 25- to 50,000 were mesothelioma Claimants, correct?
 18 A. That was -- I hadn't looked at the
 19 exact number, but it's likely in that range.
 20 Q. How many of those 25- to 50-
 21 mesothelioma Claimants also submitted claims to one
 22 of the DCPF Trusts?

Page 146

1 A. I don't know the answer to that.
 2 It's a high proportion, I think, as we've gone
 3 through the reconciliation -- we've done some of
 4 the claims reconciliation process, but I don't
 5 remember what the number is, sitting here.
 6 Q. Can you quantify any better what you
 7 mean by "high proportion"?
 8 MR. EVERT: I'm sorry. I want to
 9 make sure -- he's asking, of the 25- to
 10 50,000 mesothelioma Claimants in total, what
 11 proportion. I just want to make sure -- that
 12 is the question, right?
 13 MR. GUERKE: I mean, the question
 14 is what I asked him, and he gave an answer.
 15 BY MR. GUERKE:
 16 Q. Did you understand my question, and
 17 was your answer responsive to my question?
 18 A. I was answering with regard to the
 19 12,000 because those are the only ones I directly
 20 see any information on that were in the request.
 21 Any claims outside of that request, I could make
 22 inferences or draw from experience and other

Page 147

1 places, but I don't have knowledge of within this
 2 case.
 3 Q. Are there more than 12,000 Claimants
 4 who have submitted claims to the Debtor entities
 5 and also have submitted claims to DCPF Trusts?
 6 A. Yes.
 7 Q. So there's more than 12,000?
 8 A. Who have submitted claims to the
 9 Debtor entities and submitted a claim to one or
 10 more of the Trusts, yes, there's more than 12,000.
 11 Q. Are there more than 12,000
 12 mesothelioma claims that both submitted claims to
 13 the Debtor entities and also one of the DCPF
 14 Trusts?
 15 A. Almost assuredly, but I haven't read
 16 an exact number. But almost assuredly.
 17 Q. In relation to the 12,000 that have
 18 been requested, how many more, roughly?
 19 A. It's going to double or triple the
 20 number because there's all the dismissed claims.
 21 And just because they were dismissed against
 22 Aldrich or Murray doesn't mean they would be

Page 148

1 dismissed against all the predecessor entities that
 2 could file against the Trust.
 3 There would also be a number of
 4 mesothelioma claims that predate 2005 that could
 5 have submitted claims against those Trusts. I
 6 haven't sought discovery on those, so there's no
 7 reconciliation process. I can't -- I haven't seen
 8 data that will give a precise qualification for
 9 those.
 10 But those two populations of claims
 11 would produce a material number of additional
 12 mesothelioma Claimants against the two Debtors that
 13 would file one or more claims against entities in
 14 the Delaware facility.
 15 Q. I'm eliminating dismissed claims,
 16 focusing only on mesothelioma claims.
 17 Do you know how many more than the
 18 12,000 Claimants submitted claims to the Debtor
 19 entities and also the DCPF Trusts?
 20 MR. EVERT: Object to the form of
 21 the question because I don't understand --
 22 there are dismissed mesothelioma claims you

Page 149

1 said you're eliminating, right?
 2 THE WITNESS: I ask a couple of
 3 clarifying questions.
 4 BY MR. GUERKE:
 5 Q. Sure.
 6 A. There's two Debtors --
 7 Q. Two Debtors.
 8 A. -- one fact pattern is Aldrich paid a
 9 claim. The same Claimant had a claim against
 10 Murray, and the claim against Murray was dismissed.
 11 So they both have a paid claim against one Debtor
 12 and a dismissed claim against the other Debtor.
 13 When you say I can differentiate the
 14 two claims -- but the Claimant was paid by one
 15 Debtor, right? So the Claimant's neither dismissed
 16 nor paid; they're both, right? We have two
 17 individual claims.
 18 So when you say "dismissed," I need a
 19 little more clarity as to what you mean because I
 20 have two Debtors involved, when you asked the
 21 questions, to be precise, so we don't commingle
 22 terms.

Page 150

1 Q. The subpoena that's directed at DCPF
 2 seeks information on 12,000 Claimants, correct?
 3 A. Yes.
 4 Q. What I'm trying to get at is -- is,
 5 for the subject of the subpoena, how many more
 6 Claimants are out there beyond the 12,000?
 7 A. Well, the subpoena constrains itself
 8 to a Claimant who was paid by one or both Debtors
 9 where that payment occurred 2005 or later, all
 10 right -- it's got a date cutoff for the date of the
 11 payment -- and it has to be mesothelioma. All the
 12 mesothelioma Claimants that don't fit one of those
 13 three criteria have been excluded.
 14 So that's if you were dismissed
 15 against -- if neither Debtor paid you, if you were
 16 paid earlier in time than the temporal cutoff or if
 17 you were not nonmesothelioma, you've been excluded
 18 from the data request.
 19 Q. So the 12,000 Claimants -- the entire
 20 population has been included?
 21 A. Well, it's got a definition --
 22 Q. Using that definition --

Page 151

1 A. -- so the definition -- it is the --
 2 it is a census or the total population of Claimants
 3 who resolved after the cutoff date, who had
 4 mesothelioma and one or both Debtors made a
 5 positive payment. That's the definition of what
 6 went in. So by construct, it's 100 percent of that
 7 definition.
 8 Q. All right. When did you start
 9 working on this bankruptcy case?
 10 Based on -- and I'll just tell you,
 11 based on the docket, Bates White was formally
 12 retained August 18th, 2020.
 13 A. I mean, we were working for the
 14 Debtors as of the petition date. I think the
 15 retention went through subsequent to that. There's
 16 a lag between when -- typically in a bankruptcy
 17 when you first start doing work for a client and
 18 when all the paperwork goes through the bankruptcy
 19 court.
 20 Q. How about you, personally? When did
 21 you, personally, start working on this bankruptcy
 22 case?

Page 152

1 A. For the Debtors as clients, it would
 2 have been roughly contemporaneous with that.
 3 Q. Bates White is also involved in
 4 Bestwall and DBMP, correct?
 5 A. Correct.
 6 Q. What's your personal involvement in
 7 those two cases?
 8 A. I advise on those at times. There's
 9 select issues where my colleagues, counsel or
 10 client seek me out on certain topics.
 11 I don't think I'm at liberty to
 12 disclose what those topics are at the current time,
 13 particularly in the context of this case, but it's
 14 been constrained to advising on select issues at
 15 the moment.
 16 Q. Do you anticipate using sampling in
 17 either Bestwall or DBMP?
 18 A. At the moment, I don't anticipate
 19 testifying in either of those cases. So if you're
 20 asking am I, personally, going to do that, I don't
 21 anticipate testifying in either of those cases.
 22 Q. Do you know if Bates White

Page 153

1 anticipates using sampling in either Bestwall or
 2 DBMP?
 3 MR. KAPLAN: Kevin, I'm going to
 4 object.
 5 Is that appropriate for this
 6 setting? He said he's not a testifying
 7 expert in those cases or the fact that his
 8 firm is.
 9 Do you know?
 10 THE WITNESS: I mean, I'm going to
 11 stick to what's in the public record, because
 12 it's -- I don't think I should talk in the
 13 context of Aldrich/Murray about anything
 14 that's not in the public record for Bestwall
 15 or DBMP.
 16 There's been back-and-forth in
 17 Bestwall about what sample of historical
 18 claim files to take. The fact that there's
 19 back-and-forth on that is in the public
 20 record. So the fact that they're looking at
 21 various samples of claim files in the same
 22 way that that issue is being looked at in

Page 154

1 this case, that's true.
 2 I don't know the DBMP public
 3 record well enough to know what's in it or
 4 not, so I'm not going to say anything because
 5 I just don't have confidence as to what's in
 6 the public domain.
 7 BY MR. GUERKE:
 8 Q. You testified earlier that you -- you
 9 anticipate that sampling will be used in the
 10 Aldrich Pump case, in some respect, right?
 11 A. With regard to the historical claim
 12 files, I suspect that's correct. It's also -- I
 13 mean, with regard to Trust data, I would say that's
 14 exactly what we're doing here, too. We didn't ask
 15 for all the claims; we asked for a subset. So it's
 16 a version of sampling.
 17 Q. That's what I was getting at earlier
 18 about the -- the 12,000 Claimants.
 19 What's the -- what are the 12,000
 20 Claimants that you seek in the subpoena -- or
 21 your -- your attorneys seek in the subpoena -- what
 22 is that a sample of?

Page 155

1 A. The over 400,000 historical claims.
 2 Q. But modified based on the parameters
 3 of the -- of the subpoena, correct?
 4 A. Well, I -- I did not feel I needed
 5 all 400,000 claims to do my work, information from
 6 the Trusts. I reduced that down. So it's -- we're
 7 not requesting a census from the Trusts of every
 8 historical claim to merge to the claims database of
 9 all of the Claimants. That's not what we're doing.
 10 We're taking a very select
 11 subpopulation that's about 3 percentage of the
 12 total population of Claimants and asking for the
 13 data for that 3 percent of the subpopulation --
 14 that subpopulation. We're asking for 100 percent
 15 of that subpopulation.
 16 So it's a census of that
 17 subpopulation, which is 3 percent of the total
 18 data.
 19 Q. And other than sampling for
 20 historically -- historical claim files, do you
 21 anticipate any other sampling in the Aldrich Pump
 22 or Murray bankruptcy case?

Page 156

1 A. We're likely to rely on various
 2 historical samples. So, for example, prior to
 3 2001, there's not a census of historical
 4 mesothelioma diagnoses in the United States. So
 5 what's available is a sample by the Survey of
 6 Epidemiological End Results.
 7 2001 forward, we have census. So we
 8 use the census for 2001 forward, but when we're
 9 looking at things of forecasting future disease
 10 incidents in the population, we'll rely on samples,
 11 but we're not -- that's because it's a constraint;
 12 it's what's -- the only thing that was available.
 13 You can't go back to 1995 and complete that sample
 14 any longer.
 15 Q. The subpoena that was issued to DCPF
 16 and, I think, all of them go back to 2005 -- seek
 17 data that goes back to 2005; is that correct?
 18 A. Correct.
 19 Q. Why do you need data going back to
 20 2005?
 21 A. So part of this is you do have
 22 changing demographics through time. So, ideally,

Page 157

1 you don't just look at a snapshot of the most
 2 current. You want to be able to see if there's
 3 trends or changes, and you want to be able to model
 4 those changes.
 5 So for questions such as Dr. Wyner
 6 focused on are all the disclosures being revealed.
 7 2005 is not particularly important to my analysis.
 8 The more recent data is going to be much more
 9 important because it's really what's happening more
 10 recently in the tort system.
 11 In contrast, for controlling for
 12 industry and occupational group mixes and seeing
 13 how those are evolving through time, you need a
 14 time series of data. So the reason to reach back
 15 further is so, as opposed to getting a snapshot at
 16 a moment in time, you can see the underlying trends
 17 in data, line that up with large government
 18 datasets that are informative and create a more
 19 reliable forecast.
 20 So the reaching back further has a
 21 lot more to do with accurately estimating the
 22 number of future Claimants than the questions

Page 158

1 related to are the totality of exposures being
 2 contemporaneously revealed.
 3 Q. Doesn't Bates White already have the
 4 Garlock database?
 5 A. So there's a public version of the
 6 Garlock database that any party who cares to get,
 7 can have it. And Bates White has a copy of those
 8 data.
 9 Q. Does Bates White have a copy of a
 10 nonpublic version of the Garlock database?
 11 A. No. That was destroyed at the
 12 conclusion of the bankruptcy, which is why I made
 13 the distinction. There was another version of that
 14 database that had more information in it than the
 15 public version, which no longer exists.
 16 Q. Garlock filed bankruptcy in 2010,
 17 right?
 18 A. June 2010.
 19 Q. Why wouldn't going back only to 2010
 20 be sufficient for your purposes, considering
 21 Bates White already has the Garlock database?
 22 MR. EVERT: I'll just object to

Page 159

1 the form of the question because no sample
 2 back to 2010 has been proposed.
 3 Go ahead.
 4 THE WITNESS: The Garlock database
 5 is constrained to individuals -- at least on
 6 Trust discovery aspect of it, is Claimants
 7 against Garlock who were resolved prior to
 8 their bankruptcy. So in all the pending
 9 claims, that database -- there's not the
 10 Trust discovery on -- it's similar to this
 11 one, resolved claims.
 12 And not every Claimant who names
 13 Aldrich or Murray named Garlock back then.
 14 So that would be a nonrandom subset of the
 15 data.
 16 And then you'd introduce all sorts
 17 of questions about what biases have you
 18 brought in by using this nonrandom subset,
 19 requiring it to be in the Garlock data and be
 20 resolved by Garlock prior to bankruptcy, as
 21 opposed to being able to take the universe of
 22 claims and not have any of those biases enter

Page 160

1 the analysis.
 2 BY MR. GUERKE:
 3 Q. Couldn't -- wouldn't it be sufficient
 4 for your purposes to use the -- the Garlock
 5 database -- the information you have and supplement
 6 it with the subpoenaed information from 2010
 7 forward?
 8 MR. EVERT: Objection: asked and
 9 answered.
 10 THE WITNESS: So there's going to
 11 be a few issues with that. You could
 12 potentially make some progress on that route
 13 with regard to the Delaware facility. There
 14 was no discovery on the Verus facility in the
 15 Garlock matter, so there is no data in the
 16 Garlock record of Trusts related to that
 17 facility. So any of this would apply only to
 18 the Delaware facility as a starting point.
 19 Two, to the degree Claimants in
 20 Garlock have filed Trust claims post the
 21 Garlock discovery, because not all of those
 22 claims were resolved at the time -- there's a

Page 161

1 number of claims that were pending -- you
 2 would want to learn the status of those
 3 pending claims.
 4 So you would need to go back
 5 and -- if there was a single pending claim to
 6 figure out what was the resolution of that.
 7 So it's not as simple as if you got the
 8 discovery before, what's the ultimate
 9 resolution.
 10 BY MR. GUERKE:
 11 Q. Can you use for your purposes the
 12 data that was produced in Bestwall and DBMP from
 13 DCPF and the DCPF Trusts?
 14 A. I believe that would violate numerous
 15 confidentiality orders and be illegal for us to do.
 16 So I don't think, legally, we could do that.
 17 If that issue were solved,
 18 statistically, it has a similar issue. DBMP is a
 19 fundamentally different product than Aldrich. You
 20 could see Claimants who were dismissed against DBMP
 21 who might be a high-value claim against Aldrich, or
 22 vice versa.

Page 162

1 So -- and they won't be in Claimants
 2 who named Aldrich that never named one of those two
 3 entities.
 4 So, again, you would have these
 5 selection effects you're layering over. It
 6 wouldn't be a representative sample. And that's
 7 going to create potential biases, and then we would
 8 be litigating over those biases.
 9 Q. I don't want to go through all the
 10 questions and answers you gave prior counsel on
 11 this subject. And I -- am I correct that -- strike
 12 that.
 13 In your declaration in Paragraph 9,
 14 you discuss the decrease in precision. You had
 15 several questions with Mr. Kaplan about decrease in
 16 precision.
 17 My question is, Specifically, what is
 18 the decrease in precision referenced in
 19 Paragraph 9? And if -- if your answer is, I
 20 already explained that for half an hour, that's
 21 fine.
 22 But is there a way for you to answer

Page 163

1 that question?
 2 A. You're asking specifically about kind
 3 of Romanette i, Decreased precision of the ultimate
 4 analysis?
 5 Q. Yes.
 6 A. I believe -- that's focusing probably
 7 on the most salient issue, which is the ultimate --
 8 the final design of the CRB, the final estimate of
 9 liability in an estimation proceeding.
 10 When I say "the ultimate," it's
 11 not what's the precision of an intermediate number
 12 that then feeds in, but "the ultimate" in that is
 13 referring to the final opinions of interest of
 14 which the sample is providing inputs into.
 15 Q. And -- and the final opinion, is
 16 that -- is what you mean the value -- the estimated
 17 claim value that you would present to the Court of
 18 the ultimate analysis you were referring to?
 19 A. It could be the final claim -- the
 20 estimate of total value of pending and future
 21 claims against Aldrich. It could be the final TDP
 22 that's filed where you've used these data to help

Page 164

1 you structure that TDP. So as opposed to
 2 intermediate steps that are building up to
 3 something like that, it's these final documents or
 4 these final high-level opinions.
 5 Q. But a final high-level opinion on
 6 estimating present and future claim value, not
 7 TDPs, can you tell us specifically what the
 8 decrease in precision is that you're referencing in
 9 Paragraph 9?
 10 A. So one issue in the case, as I
 11 understand it, is the parties disagree about what
 12 it is we're supposed to be estimating there, which
 13 if you want me to get into that, I can, but I'm not
 14 really intending to in this answer.
 15 The Plaintiffs' theory of what would
 16 the Claimants have received in the tort system is
 17 likely to have a larger aggregate estimate than the
 18 Defendant theory of what's kind of the intrinsic or
 19 underlying legal liability. Those two numbers are
 20 going to differ.
 21 So while the percentage of
 22 uncertainty may be the same, suppose they're both

Page 165

1 plus or minus 15 percent, clearly that's going to
 2 be more dollars of uncertainty on something that's
 3 at a higher baseline number.
 4 So it's going to have a bigger dollar
 5 impact under the Plaintiffs' theory than under the
 6 Debtors' theory. It's going to approximately, on
 7 many of the parameters, triple the uncertainty.
 8 But the rest is similar to the answer
 9 I gave before, right? I think that uncertainty is
 10 probably on the order of tens of millions of
 11 dollars as a baseline. Until I do the work and
 12 I've seen the data, I can't tell you something more
 13 precise than that.
 14 Q. Do you expect your final estimated
 15 claim number, present and future claims, the
 16 ultimate analysis that you're referencing in
 17 Paragraph 9 -- will that be in the form of a range?
 18 A. These have been presented in
 19 different ways in different estimation proceedings,
 20 so I don't know if we're at that point.
 21 There's -- many times, that's
 22 presented as a scenario and a point estimate, but

Page 166

1 then analyses around that to describe the amount of
 2 uncertainty -- you could present that as a range,
 3 but likely, if you were to present a range, you
 4 would give the Court some indication about what
 5 area within that range you find more likely.
 6 So I don't view those as too
 7 different, but the one may not go all the way to a
 8 point estimate. You may say, I'm very confident
 9 it's in this \$50 million or most confident it's
 10 most likely in a \$50 million range, but maybe it
 11 has this broader range that's feasible for
 12 uncertainty.
 13 So which of those is a better form of
 14 exposition depends a little bit on the types of
 15 uncertainty and what you learn as you go through
 16 the process.
 17 Q. You don't anticipate providing the
 18 Court with a single final number, correct?
 19 A. If I concluded there was a scenario
 20 that I found most likely, I will probably present
 21 that number but then characterize the uncertainty
 22 about that number. If I don't have one scenario

Page 167

1 that I think is more likely, there may be a range
 2 that I think is most likely but within that range,
 3 I can't differentiate, and then there's uncertainty
 4 about that range.
 5 You know, until you do all the
 6 analysis, which of those is going to be where I
 7 ultimately present opinions, I don't know, sitting
 8 here today.
 9 Q. You reference in your declaration the
 10 legal liability analysis that you're performing in
 11 this case.
 12 Are you familiar with that?
 13 A. Yes.
 14 Q. The legal liability analysis that you
 15 will go through includes multiple steps, correct?
 16 A. It does.
 17 Q. Do you agree that legal liability is
 18 not a mathematical equation?
 19 MR. EVERT: Let me ask, How is
 20 that relevant to sampling?
 21 MR. GUERKE: It's a foundational
 22 question.

Page 168

1 MR. EVERT: Okay. If you know the
 2 answer, if you can answer it.
 3 THE WITNESS: So as an empirical
 4 exercise, you ultimately reduce these
 5 questions to a mathematical model. Whether
 6 you're doing legal liability, but-for tort
 7 spend, ultimately these become reduced to
 8 mathematical models of every expert I've ever
 9 seen do it. So the model, like all models,
 10 is a simplification of the real world. Every
 11 single model simplifies that on some
 12 dimension. But, ultimately, they will be
 13 expressed as a form of mathematics.
 14 BY MR. GUERKE:
 15 Q. Along the way in the legal liability
 16 process, there will be subjective determinations
 17 that are made by Bates White, correct?
 18 MR. EVERT: Object to the form of
 19 the question.
 20 THE WITNESS: There may be.
 21 Again, I haven't done all that work.
 22 As much as possible, I try to root

Page 169

1 things in data and empirical analyses, but,
 2 at times, there are -- things can arise where
 3 that's not feasible. And then you start --
 4 you invoke some assumptions and usually do
 5 scenario analysis.
 6 BY MR. GUERKE:
 7 Q. Some of the steps in the legal
 8 liability analysis include estimates, right?
 9 A. Every estimate of future liability
 10 includes estimates. That's correct.
 11 Q. And also includes forecasts, correct?
 12 A. I don't know what distinction you're
 13 drawing between the word "estimate" and "forecast."
 14 If you intend those to mean something different,
 15 tell me.
 16 Q. For the legal liability analysis that
 17 you're going through, the -- the end game is for
 18 the Debtors to estimate the value of claims,
 19 correct?
 20 A. Correct, the value of pending and
 21 future claims. That's correct.
 22 Q. Why is estimating sufficient for the

Page 170

1 analysis but sampling within the analysis is not?
2 A. I don't agree with the predicate. I
3 am sampling. So certain -- there's a cost-benefit
4 analysis as to when you should sample and when you
5 should use the totality of the available data.
6 So on certain aspects where the cost
7 of producing the data is relatively small, I use
8 the -- I intend to use the totality of the data,
9 like, I will use the entire claims history from the
10 Debtor. I won't take a 10 percent sample of the
11 Debtors' claim history in their settlements.
12 Okay?
13 So things that are already in
14 electronic format, you tend to use all the data;
15 things that aren't already in electronic format,
16 you tend to use the sample.
17 It doesn't always have to work out
18 that way. I've done cases where we took a census
19 of everything that was not in electronic format,
20 too, so it -- it's a cost-benefit analysis that's
21 specific. And I've done ones where I've taken a
22 sample where everything was in electronic format

Page 171

1 because it was still too large to work with.
2 So it's -- there's no absolutes
3 there, but that's how it generally breaks down. So
4 I'm using the census at times for certain
5 questions; I'm using a sample for other questions,
6 and it's that cost-benefit analysis.
7 Q. Whether DCPF produces 100 percent of
8 the information requested or 10 percent of the
9 information requested, will Bates White review
10 every single document that DCPF produces?
11 A. We will use the totality of the
12 electronic information to the degree that it's
13 populated, so we will review it, but if -- if a
14 record was produced and all the fields were empty,
15 we probably wouldn't incorporate that record into
16 our analysis, because it actually had no data. But
17 we -- the intent is to pull all of that into the
18 analysis. Which of it will ultimately be germane
19 at the end is an empirical question, but I'm
20 expecting in terms of these trends for future
21 Claimants to use all of it.
22 Q. And how will Bates White go about its

Page 172

1 review of the DCPF-produced information to fulfill
2 its obligation to redact PII that's in the
3 subpoena?
4 A. So I'm not personally in charge of
5 doing that review at the moment, but the -- we do a
6 lot of document review in different settings. This
7 really isn't documents. It's electronic.
8 So I would have to go and ask to see
9 the exact specifics. But we've done similar
10 exercises in the past. We typically will do a
11 review conceptually. There will be a first pass.
12 We'll see what it flags. There will be a second
13 pass to get an error rate. That second pass may
14 not be for the totality of the claims. It may be
15 for a subset to see what the error rate is, how
16 many claims are you missing, if at all, right?
17 And you're really assessing are you
18 getting the vast majority of them, as you're going
19 on, and will determine some acceptable error rate
20 at the end of the day in the same sense that the
21 data being produced to us probably, despite DCPF
22 going through it, will still have missed a few. So

Page 173

1 we will go through a similar process of quality
2 controlling, quantifying our error rate and then
3 being able to say what's the maximum number of
4 claims statistically where there is remaining PII.
5 Q. Forgive me if this was embedded in
6 your answer, but that first pass and the second
7 pass you just testified about, is that -- is that
8 100 percent review of all the data on a first pass
9 and then a 100 percent review of all the data on a
10 second pass?
11 A. The second pass is likely to be a
12 subset where you're doing a quality control. If
13 you determine that your error rate is too high, you
14 would actually do a full second pass, because
15 you've determined your error rate is too high.
16 So it's -- when you do the quality
17 control pass, if you learn you're missing -- you're
18 getting 99.9 percent of them, you would probably
19 say, We've done a good job, and we're done.
20 If you found that you're only getting
21 80 percent of them, you would probably do a second
22 pass on all the data, because missing 20 percent is

Page 174

1 not an acceptable error rate.
 2 So it's -- the extent of the second
 3 pass is a function of what is your effective rate
 4 of capturing the information.
 5 Q. If a sample is ordered, a 10 percent
 6 sample, Bates White would end up reviewing
 7 90 percent fewer claims that were produced from
 8 DCPF, right?
 9 A. I think, yes.
 10 Q. That's the extent of my math right
 11 there.
 12 (Pause.)
 13 BY MR. GUERKE:
 14 Q. Forgive the pause. I'm trying not to
 15 ask you questions that have been asked.
 16 MR. EVERT: Much appreciated.
 17 BY MR. GUERKE:
 18 Q. Can you take a look at the subpoena
 19 that I believe is --
 20 MR. EVERT: CM-2, I think.
 21 BY MR. GUERKE:
 22 Q. -- which is Exhibit 2?

Page 175

1 Paragraph 10 of the subpoena lists
 2 data fields that's being requested from the
 3 recipient of the subpoena.
 4 Do you agree with that?
 5 A. It's a list of the requested
 6 information; that's correct.
 7 Q. And this isn't the DCPF subpoena, but
 8 they're all very similar, with the same paragraph
 9 and the same request.
 10 Part g, 10, requests information for
 11 all exposure-related fields.
 12 Do you see that?
 13 A. I do.
 14 Q. Why does Bates White need all
 15 exposure-related fields for its analysis?
 16 A. That's going to enter the analysis in
 17 a couple different ways: One, it's going to allow
 18 us to get a much more complete picture of people --
 19 the nature of Claimants' exposure. So that will go
 20 directly to, for example, what share of their
 21 exposure would be derivative of Aldrich or Murray
 22 as opposed to alternative exposures.

Page 176

1 It will also be directly relevant to
 2 what type of actuarial curve the claim should be
 3 mapped to for projecting the number of future
 4 claims, so doing this industry/occupation, what
 5 trades are they in, what industries are they in for
 6 figuring out how to extrapolate to get the best
 7 estimate you can of the number of future claims.
 8 So it's going to enter into that type
 9 of analysis. It will also be direct in terms of
 10 what exposures were disclosed at the time -- by the
 11 time of the Debtors' settlement versus what had
 12 been disclosed in totality across the multitude of
 13 Trusts.
 14 Q. Is it the -- is it this all-exposure
 15 related fields where Bates White will use to
 16 compare claims information submitted to the
 17 Debtors?
 18 A. On the questions that were, if I'm
 19 remembering right, Paragraphs 16 and 17 in my
 20 declaration, yes.
 21 Q. Do you intend to look at every
 22 historical claim submitted to the Debtors in the

Page 177

1 tort system for that comparison process?
 2 A. No. We're intending to use a sample
 3 for that comparison, but to the extent we can, the
 4 totality of claims in terms of these industry and
 5 occupational trends for forecasting the counter
 6 future claims, so it depends on the -- which
 7 analysis you're referring to.
 8 Q. And that sample is what you're
 9 referring to earlier that's being negotiated with
 10 the ACC and the FCR; is that right?
 11 A. Correct.
 12 Q. So for the -- the 12,000 Claimants
 13 that are being requested in the subpoena directed
 14 to DCPF, are the Debtors providing Bates White with
 15 all the claim files?
 16 A. No.
 17 Q. Why not?
 18 A. So producing a claim file -- it's a
 19 set of documents that are typically not in
 20 electronic format, and even if the documents
 21 themselves are in electronic format, the
 22 information you want out of, say, an answer to an

Page 178

1 interrogatory or out of the deposition haven't been
 2 culled from that.
 3 So turning a claim file into usable
 4 data for analyses is very expensive on a
 5 file-by-file basis because it's not already in
 6 electronic format to be used, so the cost
 7 associated with each datum that you want to pick up
 8 is relatively high. And so in the cost-benefit
 9 analysis, we have gotten comfortable that looking
 10 at the 1,200 claims for that will be sufficient for
 11 some of these questions from a cost-benefit
 12 perspective.
 13 That's around the point benefit where
 14 the cost benefits are, as best you can tell -- you
 15 don't know for sure -- but as best as you can tell,
 16 getting close to even.
 17 In contrast, the Trust data is
 18 already in electronic format, so the -- compared to
 19 a claim file, the ability to turn that exposure
 20 history into a -- basically combining that
 21 information across Trusts to characterize an
 22 exposure history for a Claimant is relatively

Page 179

1 inexpensive compared to reviewing a claim file and
 2 trying to review depositions and Answers to
 3 Interrogatories and pull all of that information
 4 out. So it goes back to that fundamental
 5 cost-benefit analysis.
 6 Q. So for that comparison or that
 7 evidence suppression analysis, don't you need to
 8 have the same Claimants from the Debtors' sample
 9 matched up with the same Claimants in the DCPF
 10 subpoena?
 11 A. Yes.
 12 Q. And how are you doing that?
 13 A. So for the 1,200 that are in the paid
 14 claims sample, those same 1,200 would be in the --
 15 would be in the Trust data because it's a subset of
 16 the 12,000. So for those 1,200, we can make that
 17 comparison.
 18 If we were constrained to a
 19 10 percent sample from the Trusts, we would want
 20 that sample to be identical to the claim file
 21 sample so you can make the comparison on all 1,200.
 22 For the other aspects, like

Page 180

1 controlling for industry and occupations to
 2 forecast the number of future claim counts, that's
 3 about getting the totality of the exposure history
 4 and that, we would use all 12,000 Claimants for.
 5 So there's certain exercises where we would only
 6 use the 1,200 Claimants' information that overlaps
 7 with the 1,200 for which we went through the claim
 8 file exercise. And for other aspects of the
 9 estimation, we would use all 12,000 Claimants'
 10 information.
 11 Q. So if you're ultimately constrained
 12 to a 10 percent sample in this case for Trust
 13 information, you don't know yet whether that
 14 10 percent sample will match up with the sample
 15 that you're working on right now with the ACC and
 16 the FCR, right?
 17 A. So there's no agreement at the moment
 18 as to what the sample of claim files will be.
 19 There's been back-and-forth. The concept is that
 20 it will be the same. If they weren't the same and
 21 they were both 10 percent samples, then you would
 22 only have on average 1 percent; you would be down

Page 181

1 to 120 claims which would be in both, which would
 2 be insufficient to do almost anything with.
 3 Q. You can't use it for the intended
 4 purpose unless the two samples line up, right?
 5 MR. EVERT: Object to the form of
 6 the question.
 7 THE WITNESS: If I want to look at
 8 a comparison, I need both points in the
 9 comparison, for when -- for that exercise, I
 10 need both sets of data.
 11 BY MR. GUERKE:
 12 Q. So before you can determine a
 13 sufficient sample for the Trust information, you
 14 would first need to know what the agreement is on
 15 the sample for the -- the Debtor historical files,
 16 right?
 17 A. No.
 18 Q. What -- why is that "no"?
 19 A. So the fact that the historical files
 20 are not already in an electronic format means that
 21 each Claimant you sample there comes at a
 22 materially higher cost, thousands of dollars, if

Page 182

1 not 10,000, to collect all that information and
 2 process it.
 3 So there's a substantial cost for
 4 each data point you're taking in.
 5 So that data, the review of the claim
 6 file data and the cost associated with it becomes
 7 the binding constraint for doing the comparison
 8 because it's the higher cost source of data. So
 9 what I need to determine for this comparison is the
 10 higher cost source, which is the claim files.
 11 I'm using the Trust data for multiple
 12 purposes, not just that comparison. The other
 13 purposes are what apply to the 90 percent of the
 14 sample that doesn't overlap with the 10 percent
 15 that would line up with the claim files.
 16 So when I'm talking about asking for
 17 the 12,000 and constraining myself to 100 percent
 18 of that subpopulation, it's because that's the
 19 subpopulation that's going to inform me about, in
 20 particular, future claim counts, controlling for
 21 industry and occupation, potentially controlling
 22 for gender, controlling for different demographic

Page 183

1 characteristics as we go forward.
 2 So they're serving -- the binding
 3 constraint differs between the two, so in that
 4 sense, they don't overlap. I'm going to have a
 5 broader sample ideally of Trust data because it's
 6 less expensive to produce than claim files, and I'm
 7 going to have the claim file sample be a strict
 8 subset of the Trust sample.
 9 Q. In Paragraph 21 of your declaration,
 10 you state that DCPS -- DCPF has already produced
 11 the same or substantially similar information for
 12 similarly sized and likely substantially
 13 overlapping claims population in response to nearly
 14 identical subpoenas from DBMP and Bestwall.
 15 Do you see that part of your
 16 declaration?
 17 A. Which paragraph?
 18 MR. EVERT: Twenty-one.
 19 BY MR. GUERKE:
 20 Q. Twenty-one.
 21 A. Yes.
 22 Q. So what of the 12,000 Claimants' data

Page 184

1 in this case overlap with the -- the Bestwall and
 2 DBMP case?
 3 A. I'm not allowed to nor have I merged
 4 those databases. They're two separate cases.
 5 What I know about each of them that I
 6 am allowed to use is that each of them receives
 7 about three-quarters of the claims that are filed
 8 in the tort system. So if I have two defendants
 9 that each are receiving 75 percent of the claims,
 10 50 percentage points of that has to overlap because
 11 there's only 25 percent left that could go to the
 12 other Debtor that's not in the prior one.
 13 So I know there's substantial
 14 overlap. I know it's at least 50 percent of their
 15 claims. It might be much higher. I don't know the
 16 exact number. That's why it's written the way it
 17 is. I'm not allowed to merge those. They're two
 18 separate cases.
 19 You know, if parties waived and said,
 20 Go ahead and merge them, we could give you an exact
 21 answer. But that's not the status. They're --
 22 each case is in its own silo. And so I know it's

Page 185

1 substantial, but I don't know the exact number.
 2 Q. In Paragraph 22 of your declaration,
 3 you state that retrieving information for any
 4 specified Claimant should involve a relatively
 5 straightforward automated extraction of data as the
 6 match Claimants have already been identified.
 7 Do you see that in Paragraph 22?
 8 A. I do.
 9 Q. What is your basis for that
 10 statement?
 11 A. Well, as I understand the nature of
 12 the databases, there's a Claimant identifier. The
 13 crosswalk process of identifying which Claimants in
 14 the 12,000 actually filed a claim against any of
 15 the Trusts -- as I understand it, that process has
 16 been completed, because we've gone through a
 17 reconciliation process on the matches that were
 18 uncertain.
 19 So there's already a mapping from
 20 that matching key to the records or at least the
 21 key identifier of each Claimant in the Trust data.
 22 So now you're extracting specific

Page 186

1 data fields from a data fact -- a database that's
 2 just a query from a database.
 3 Any redaction the Trust wants to do
 4 after that query is a different question. All
 5 right? But the actual extraction of those fields
 6 is just a database query at this point.
 7 Q. And the review-and-redaction process
 8 that DCPF goes through is separate and apart what
 9 you're saying in this paragraph, correct?
 10 A. Correct.
 11 This is just retrieving from the
 12 information from the field is straightforward.
 13 There is a redaction process that the Trust has
 14 stated it wants to do before producing the data.
 15 Q. Do you -- do you dispute the fact
 16 that the -- that DCPF will do a
 17 review-and-redaction process for whatever
 18 information is required to be produced in response
 19 to these subpoenas?
 20 A. They state they will do it. They did
 21 it in DBMP. I have no reason to question it.
 22 Q. You have no firsthand knowledge of

Page 187

1 DCPF's business, do you?
 2 A. No.
 3 Q. You don't know specifically what DCPF
 4 has to do in that review-and-redaction process,
 5 correct?
 6 A. No, I don't know the specifics.
 7 Q. And, similarly, you don't know the
 8 inner workings of DCPF, correct, on the business
 9 side?
 10 A. No.
 11 Q. And you don't know -- you don't have
 12 personal knowledge of DCPF's burden in responding
 13 to the subpoena, correct?
 14 A. No.
 15 Q. "No," you don't have personal
 16 knowledge, correct?
 17 A. I don't have -- I've seen the bill
 18 from other cases. I don't have personal knowledge.
 19 Q. Are you offering an expert opinion on
 20 DCPF's burden in responding to the subpoena?
 21 MR. EVERT: I'll object to the
 22 form, actually, because I think that's a

Page 188

1 legal question, are we offering him to have
 2 an opinion. So to the extent, yes, he's
 3 going to testify about the fact of what it
 4 costs DCPF to do it and DBMP, then I think,
 5 yes, we are offering him.
 6 BY MR. GUERKE:
 7 Q. You can answer.
 8 A. The opinions in my report, if I'm
 9 asked, I'm going to give. Whether they fall under
 10 that definition, I don't know.
 11 Q. What are your qualifications for
 12 offering an opinion on DCPF's burden?
 13 A. I think if the opinions in the report
 14 talk about doing an extract from a relational
 15 database, once you've completed the matching, that
 16 is simple. That takes almost no time to write a
 17 query, to take an extract from a relational
 18 database.
 19 I work with relational databases all
 20 the time. You know, that -- if you consider that
 21 as following as an expert opinion on their burden,
 22 it's one aspect of looking at what's the actual

Page 189

1 cost, given they've already done the matching
 2 exercise, to extract the fields. That's minimal.
 3 Otherwise, in terms of the redaction,
 4 the evidence I have as an economist to look at is
 5 the bill that got in the public for what that cost
 6 in DBMP, so that gives us a benchmark of what it
 7 may cost here to put a dollar figure on that
 8 burden.
 9 Q. Is there anything else -- any other
 10 information you're relying on to offer an opinion
 11 on DCPF's burden in this case?
 12 A. Not beyond anything that's in my
 13 report.
 14 Q. You rely on the Richard Wyner
 15 declaration in your declaration, correct?
 16 A. On the -- if you can point me to
 17 where.
 18 Q. The Richard -- Richard Wyner is the
 19 DCPF COO, and there was a declaration submitted.
 20 It's cited in your report.
 21 I can --
 22 A. I'm just asking you to reference --

Page 190

1 where in my report do I rely on it?
2 I'm not -- I don't have that mapping
3 at the tip of my fingertips.
4 If you point me to where, that's --
5 Q. Sure.
6 It's Footnote 16 -- 13 and 16.
7 A. Okay.
8 Q. You are relying on the Richard Wyner
9 declaration in forming your opinions related to
10 DCPF's burden in this case, correct?
11 A. I'm relying on the specific statement
12 that the data all resides in electronic format.
13 Q. Any other part of the declaration
14 that you're relying on?
15 A. I'm looking at these two sentences in
16 the footnotes therein and that it's organized by
17 Claimant.
18 Q. Anything else?
19 A. Without reviewing the totality, I'm
20 not sure it relates to anything else. The two
21 sentences of those two footnotes -- that's what the
22 footnotes are supporting.

Page 191

1 Q. Have you reviewed the entirety of
2 Richard Wyner's deposition -- declaration submitted
3 in this case?
4 A. I did read that at one point in time.
5 Q. Do you dispute any part of it?
6 A. I don't recall, one way or the other,
7 sitting here.
8 Q. Sitting here today, do you dispute
9 any statement made in Mr. Wyner's declaration?
10 A. I don't -- to the degree he has a
11 statement that any of my opinions are contradictory
12 of, then the answer to that would be yes, but I
13 haven't tried to map specifically his statements to
14 my opinions.
15 Q. In Paragraph 22 of your declaration,
16 you state, In fact, I would expect the
17 Aldrich/Murray data production process would be
18 even less burdensome than the Bestwall and DBMP
19 process because DCPF -- DCPF has already developed
20 applicable algorithms through responding to similar
21 requests for the Bestwall and DBMP Debtors.
22 Did I read that part of your

Page 192

1 declaration correctly?
2 A. You did.
3 Q. Specifically, what are the algorithms
4 DCPF has already developed that are referenced in
5 that declaration?
6 A. Extracting the data fields would be
7 an almost identical query to the query that was run
8 in the other, particularly DBMP. The review for
9 looking for whatever protocols -- I don't know what
10 protocols they used -- but whatever protocols they
11 developed to review and remove any PII or PHI that
12 might be in the fields. They've already developed
13 those protocols and applied them before. So they
14 have the benefit of that experience to work on when
15 they do it again. And so almost always, your
16 second time doing that exercise is less expensive
17 than your first time because you have the benefit
18 of that experience.
19 Q. So -- so the benefit of the
20 experience, is that what you're referring to as an
21 algorithm?
22 A. Writing the algorithm and then the

Page 193

1 protocols, the processes they put in place. They
2 had to develop some process for reviewing and
3 redacting. And the other piece that's in there
4 because of the likely overlap, if they chose to
5 cross-reference with the records that they already
6 produced in DBMP in their production process, the
7 ones that had information that needed to be
8 redacted from DBMP, they could bring over the
9 redacted field and not have to redo the redaction.
10 So the overlap should make it less
11 expensive because they've already done it for
12 subpopulation, and the fact that they have the
13 experience of having done it before and they aren't
14 developing the protocols should make it less
15 expensive.
16 Q. Do you have any firsthand knowledge
17 of the process that DCPF employs to review and
18 redact these records?
19 MR. EVERT: Objection: asked and
20 answered.
21 THE WITNESS: No.
22

Page 194

1 BY MR. GUERKE:
 2 Q. Even though the subpoena doesn't
 3 specifically request personal identifying
 4 information, you agree that it would capture
 5 certain personal identifying information, right?
 6 A. That is the allegation by the Trusts.
 7 I understand their allegation. You know, it is
 8 not -- there's traces when you build a database and
 9 the exposure fields. If they've chosen to include
 10 that type of information in an exposure field, then
 11 it could be there.
 12 They assert that some of those
 13 exposure fields contain that information. So
 14 that's -- their position is it does.
 15 You could imagine a database about
 16 exposure that doesn't have PII in because that's
 17 really not relevant to the exposure.
 18 So if you had a clean exposure field,
 19 then you wouldn't have that issue. Right? So it's
 20 the fact that their exposure field isn't clean,
 21 it's contaminated with PII, that creates this
 22 issue. It wasn't obvious at the time of issuing,

Page 195

1 seeking the data that that would be the case.
 2 Q. But you don't dispute that that is
 3 the case, right?
 4 A. I -- I don't dispute the assertion.
 5 Q. Are you measuring DCPF's burden by
 6 using the \$86,000 billed in production costs in
 7 DBMP?
 8 A. I view it as a relevant data point.
 9 I don't think they're going to be at the exact same
 10 number next time.
 11 I mean, from a burden perspective,
 12 it's more about the hours, because that's --
 13 ultimately, that was paid by the Debtors and DBMP,
 14 as I understand it. So the financial burden was
 15 borne by the Debtors, but it's the scope of the
 16 exercise.
 17 Q. You don't know what the per record
 18 review costs for these Debtors' subpoenas will be
 19 for DCPF, right?
 20 A. So you can get a rough estimate. And
 21 if -- you can look at things like the Garlock data
 22 and estimate how many Trusts a typical Claimant

Page 196

1 goes -- would file a claim against. You can take
 2 the \$86,000, the number of claims that were
 3 reviewed, divide, and you're going to be on the
 4 order for that of about ten cents a record.
 5 Now, that doesn't mean we will come
 6 in at exactly ten cents a record here, but it was
 7 kind of if you do that back-of-the-envelope math,
 8 you'll see it more on that order.
 9 Q. You're speculating what -- what -- it
 10 would be speculation to try to determine what
 11 DCPF's costs would be to respond to these Debtors'
 12 subpoena, right?
 13 A. I wouldn't go and say it's
 14 speculation. You have an estimate. You can look
 15 at what did it cost them to respond to the DBMP
 16 subpoena, which was substantively identical in
 17 nature. And so you have a very good benchmarking
 18 exercise.
 19 It's not pure speculation. That
 20 would be -- you know, it is an estimate, but I
 21 wouldn't call that pure speculation. You know, the
 22 -- almost perfect comparable to gauge what the cost

Page 197

1 would be.
 2 Q. DBMP included roughly 9,000
 3 Claimants, right?
 4 A. Correct.
 5 Q. Aldrich and Murray include roughly
 6 12,000 Claimants, correct?
 7 A. Correct.
 8 Q. So there are 3,000 more Claimants in
 9 play in this case, right?
 10 A. Correct.
 11 Q. So you would expect the costs of
 12 production in this case to be greater than in DBMP,
 13 correct?
 14 A. I don't think you can draw that
 15 conclusion. If there was zero overlap in the
 16 Claimants and your exercise is one-third larger,
 17 rough order, you would probably expect it to cost
 18 one-third more.
 19 There may be some start-up costs, and
 20 so the start-up costs you have once, and then the
 21 per-claim file review. So maybe it's a little less
 22 than one-third more, because you don't have to do

Page 198

1 the start-up costs an extra time. You still have
2 that once, but that's ignoring the overlap in the
3 Claimants.
4 So if, hypothetically, 6,000 of the
5 Claimants overlapped and that redaction had already
6 been completed, maybe you only have to look at
7 6,000 Claimants, because those are the ones that
8 haven't been done. And then you would expect it
9 would be less expensive.
10 If only 2,000 overlapped and so you
11 had to look at 10,000, you would expect it to be a
12 little more expensive. I don't know the exact
13 overlap, but I would think they would take
14 advantage over that overlap because they could
15 materially reduce their cost.
16 Q. Whatever the review costs would be,
17 it would be less with a sample, correct?
18 A. Correct.
19 MR. EVERT: Kevin, let me
20 interrupt you for a second.
21 He's available from 1:00 to 5:00,
22 and it will be 5:00 -- it's four minutes to

Page 199

1 5:00. We -- I know you got a little more to
2 go, but I'm just wondering would it assist
3 things if we can try to expedite to take
4 five minutes and get organized, or are you
5 close to finishing or just trying to get a
6 sense --
7 MR. GUERKE: I'm using the
8 5:00 p.m. as where I'm trying to finish.
9 It's up to you. I will take five minutes and
10 try to streamline it --
11 MR. EVERT: No. If you think
12 you're there --
13 MR. GUERKE: -- I will go until
14 you tell me to stop.
15 So you -- when are you going to
16 tell me to stop?
17 MR. EVERT: I'm not going to tell
18 you stop at dead 5:00 -- is he last? Anybody
19 else?
20 MR. HOGAN: I have one -- I had
21 one series of questions about Paragraph 16,
22 and that will take me probably 10 minutes.

Page 200

1 MR. GUERKE: Let me just go
2 through --
3 MR. EVERT: You want to try to
4 make it to 5:00, and then Dan will take it
5 from there?
6 MR. GUERKE: I will go through
7 this series of questions and hand it off.
8 Thank you.
9 BY MR. GUERKE:
10 Q. Are you aware that November 30th, the
11 Court ruled on DCPF and the DCPF's Trusts motion to
12 quash?
13 A. I know there was such a ruling. I
14 couldn't tell you the date.
15 Q. And it was a 10 percent sample
16 ruling, right?
17 A. There -- I'm aware that -- his
18 decision for 10 percent sample, yes.
19 Q. In December, after that -- that
20 decision was rendered, the Debtors proposed a
21 stratified random sampling protocol to the parties
22 involved in -- in this case.

Page 201

1 Are you familiar with that?
2 A. I'm very familiar with that.
3 Q. Were you involved in preparing that
4 stratified random sample?
5 A. Yes.
6 Q. Were you in charge of that -- that
7 process? Is that your work product?
8 A. I directed all the work on that;
9 that's correct.
10 Q. The proposed sample that was
11 circulated December 19th was sufficient for your
12 purposes in this case, correct?
13 MR. EVERT: Object to the form of
14 the question.
15 THE WITNESS: I would not describe
16 it that way.
17 So given there's now external
18 constraint, the most data you can have is
19 10 percent. I want all 10 percent. That's
20 the most I'm allowed to have, and I'm going
21 to try to design a sample that will get me
22 the greatest level of efficiency I can out of

Page 202

1 those data.
 2 All right. But it's a constraint
 3 now. If the Court orders it, whether you
 4 like it or not, whether you think it's the
 5 right decision or not, you live with it.
 6 So it was going -- I just accepted
 7 that things weren't going to be as precise
 8 and I'd give less guidance to the Court than
 9 I believe was optimal given the cost-benefit
 10 analysis here.
 11 BY MR. GUERKE:
 12 Q. And the sample that you prepared
 13 would have worked in your analysis, correct?
 14 MR. EVERT: Object to the form of
 15 the question.
 16 THE WITNESS: So the question I
 17 gave before to work could be the same answer
 18 now -- the answer I gave to the similar
 19 question would be the same now.
 20 BY MR. GUERKE:
 21 Q. The -- are you finished with your
 22 answer? I didn't mean to interrupt you.

Page 203

1 A. Yes.
 2 Q. The proposed stratified random sample
 3 that -- that the Debtors circulated is a
 4 representative and efficient sample.
 5 You would agree with that, correct?
 6 A. That is its intent, is to be as
 7 efficient -- it is definitely representative.
 8 It's trying to squeeze as much efficiency out of
 9 the sample of 1,200 as one can.
 10 Q. And the -- the -- the proposed
 11 stratified random sample would provide a reliable
 12 cross-section of Debtors' mesothelioma claims
 13 settlement history, correct?
 14 A. Reliable? I can't go to that point
 15 at this. I haven't done the analysis.
 16 This is where it goes back to the
 17 same as does it work. For certain questions, that
 18 is very likely to turn out to be enough. And for
 19 other questions, I think there's a very high
 20 probability that it's not sufficient and will end
 21 up with very broad confidence intervals.
 22 Q. The sample that you prepared and was

Page 204

1 circulated to the parties was seeking information
 2 for the period 2014 to the present, right?
 3 A. Well, part of that negotiation was if
 4 we are going to be constrained to just 1,200
 5 Claimants, the more recent Claimants are -- answer
 6 more questions than the ones further back. I gave
 7 some answers before about the further back ones are
 8 to get demographic trends. The more recent ones
 9 contribute both to the demographic trends and to
 10 this question of were all the exposures disclosed.
 11 So there's more information for the purpose of
 12 estimation.
 13 So I made the determination that
 14 dropping all the earlier claims and losing that
 15 information on trend was better than risking not
 16 being able to answer the questions on full
 17 disclosure. It's a trade-off. It may render,
 18 being able to control for the trends properly,
 19 impossible. But I'm now facing an external
 20 constraint, and I'm trying to do the best I can
 21 within that constraint.
 22 Q. And you could have performed your

Page 205

1 analysis with Trust data from 2014 to the present,
 2 right?
 3 MR. EVERT: I object.
 4 And, Kevin, I've got to say I
 5 object to this entire line of questioning,
 6 because that was a 408 effort to compromise a
 7 disputed issue in the case. And I think it's
 8 inappropriate to use an e-mail that a lawyer
 9 wrote to cross-examine him about what --
 10 about what the lawyer's intent was in trying
 11 to get the case settled.
 12 MR. GUERKE: This was after the
 13 ruling --
 14 MR. EVERT: I understand, but we
 15 still had a disputed issue about how to draw
 16 the sample.
 17 But I just -- I'm sorry. Note --
 18 note for the record my objection to the -- to
 19 the entire line of questioning. I think it's
 20 inappropriate.
 21 But you're welcome to have the
 22 question read back or ask it again.

Page 206

1 THE WITNESS: I, as a person who
 2 is going to ultimately potentially file an
 3 estimation report, made the judgment call
 4 that I'd rather risk not being able to -- I'd
 5 rather risk not being able to control for the
 6 industry and occupation mix of Claimants and
 7 those trends demographically than not being
 8 able to reliably quantify the number of
 9 exposures that were being disclosed.
 10 I was forced into having to make a
 11 trade-off I would not want to make that I
 12 don't think the cost-benefit analysis
 13 supports. But I'm very much putting at risk
 14 being able to properly control for the
 15 demographic trends by constrained 2014.
 16 But I had to give something up. I
 17 had a Court order. So I decided what would
 18 create an expectation the least harmful
 19 within that month.
 20 MR. GUERKE: Based on the time,
 21 Dr. Mullin, I'm going to pass the witness.
 22 Thank you very much.

Page 207

1 THE WITNESS: Thank you.
 2 --oOo--
 3 EXAMINATION BY COUNSEL FOR
 4 CERTAIN MATCHING CLAIMANTS
 5 --oOo--
 6 BY MR. HOGAN:
 7 Q. Good afternoon, Dr. Mullin. It's
 8 Daniel Hogan on behalf of the Certain Matching
 9 Claimants. I will try not to take too much of your
 10 time, but I appreciate your time today.
 11 A. Good afternoon.
 12 Q. I'd ask you to direct your attention
 13 to Paragraph 16 of your declaration. I'm going to
 14 attempt to endeavor to limit it -- my questions to
 15 this paragraph.
 16 If you would, the first sentence
 17 provides that The Trust data are also needed to
 18 assess whether the Debtors entered into settlements
 19 aware of the totality of alternative exposures.
 20 Would you agree with me that that's a
 21 temporal exercise?
 22 A. What do you mean by "temporal

Page 208

1 exercise"?.
 2 Q. Well, the statement, in -- in and of
 3 itself, is a statement about what the Debtors were
 4 aware of.
 5 An awareness is a state of mind.
 6 Would you agree?
 7 A. "Knowledge" in this sense is probably
 8 the word I would use.
 9 Q. Okay. And from a temporal aspect,
 10 there's a point in time at which somebody is either
 11 aware or has knowledge of something or they don't
 12 have knowledge of something.
 13 Would you agree?
 14 A. Correct.
 15 Q. Okay. And so from -- from this
 16 statement's standpoint, at some point in the
 17 Trust -- or in -- in the Debtors' database, there
 18 is a determination about what the Debtor knew and
 19 when they knew it.
 20 Would you agree?
 21 MR. EVERT: Object to the form of
 22 the question.

Page 209

1 THE WITNESS: I don't think, in
 2 their database, that information is there. I
 3 think that's something, generally, you have
 4 to go to underlying claim records for.
 5 That's not, in general, available in their
 6 claims database in electronic form.
 7 BY MR. HOGAN:
 8 Q. Okay. So your statement is that the
 9 Trust data from DCPF from Verus is needed to assess
 10 whether the Debtors entered into settlements aware
 11 of the totality of alternative exposures.
 12 So let's just break it down.
 13 At some point, there's a -- there's a
 14 state of mind of the Debtors about what they knew
 15 about alternative exposures. And if you look at
 16 that on a timeline, there's some point at which
 17 they didn't know it. And somewhere along that
 18 continuum up till now, they became aware.
 19 Would you agree?
 20 MR. EVERT: Object to the form of
 21 the question.
 22 THE WITNESS: I don't agree with

Page 210

1 the temporal part. I don't know if they're,
 2 even as of today, aware of the totality of
 3 the exposures. So I don't -- I can't agree
 4 that as of -- at some point in time, they
 5 became aware of the totality.
 6 This sentence is very much looking
 7 at the time of settlement.
 8 BY MR. HOGAN:
 9 Q. At the time of what settlement?
 10 Maybe that'll help.
 11 A. When the Debtors entered into a
 12 settlement with a given Claimant.
 13 Q. Okay. So you would agree with me, I
 14 hope, that at the time that the Debtors entered
 15 into a settlement with any particular matching
 16 Claimant or any Claimant that they settled with,
 17 that they -- they either knew or didn't know of
 18 alternative exposures?
 19 A. There would be a set of alternative
 20 exposures they would be aware of, typically, and
 21 there may be zero or multiple exposures they're not
 22 aware of.

Page 211

1 Q. Okay. And how they came to that
 2 awareness is critical.
 3 Yes or no?
 4 MR. EVERT: Object to the form of
 5 the question.
 6 Critical to what?
 7 BY MR. HOGAN:
 8 Q. Critical to their understanding and
 9 determination about whether to make the settlement.
 10 A. So it's -- the -- that is not the
 11 only determinant that goes into a settlement
 12 decision --
 13 Q. I understand that --
 14 A. -- so --
 15 Q. -- but it is --
 16 A. -- context --
 17 Q. -- but it is one -- pardon me.
 18 A. -- it is one -- it is one element
 19 that goes into a settlement. It's not the only
 20 element. So context of many other things could
 21 matter.
 22 Q. But you state that, Specifically, the

Page 212

1 data would also allow us to compare exposure
 2 allegations to the products of the reorganized
 3 entities for which the Trusts were established with
 4 exposure -- with exposure those same Claimants
 5 disclosed in their tort litigation against the
 6 Debtors.
 7 Is that a fair statement?
 8 Did I read that correctly?
 9 A. Pretty close, I think.
 10 Q. You had testified earlier that you
 11 largely have a mathematical model for everything,
 12 isn't that right?
 13 A. Ultimately, you're going to reduce
 14 things to computations if you're doing a damages
 15 analysis, which is what I'm doing.
 16 Q. So have you reduced the Debtors'
 17 knowledge as it relates to settlements about what
 18 their knowledge of other alternative exposures
 19 were?
 20 MR. EVERT: Object to the form of
 21 the question.
 22 THE WITNESS: Not at this stage.

Page 213

1 BY MR. HOGAN:
 2 Q. Will you?
 3 A. Ultimately, my task is to give a
 4 numerical quantification, so I have to reduce
 5 everything to numbers eventually. So that's
 6 mathematics. So, ultimately, I will be doing that
 7 through mathematics.
 8 Q. So the answer is yes, you will be
 9 doing that? You will be reducing the Debtors'
 10 knowledge of alternative exposures at the time of
 11 settlement?
 12 MR. EVERT: Object to the form of
 13 the question.
 14 BY MR. HOGAN:
 15 Q. Is that a correct answer -- is that a
 16 correct question -- do you understand the question?
 17 A. No. I think you needed another
 18 phrase at the end of it for it to make sense.
 19 Q. My apologies. I'll rephrase the
 20 question. I'll strike that.
 21 You testified that there is a
 22 mathematical model that you will reduce information

Page 214

1 to.

2 And I'm asking you about -- with

3 regard to settlements that the Debtor entered into,

4 you're going to make a determination in a

5 mathematical model which will address whether or

6 not they were aware of alternative exposures when

7 they made that settlement?

8 A. Well, there's a factual question of

9 what fraction of them they're aware of. That's a

10 ratio --

11 Q. Sure.

12 A. -- so the impact of that on the

13 settlement is really going to Paragraph 17.

14 So if we're transitioning to

15 Paragraph 17, which I didn't think we were doing,

16 we're getting into the impact. The -- Paragraph 16

17 is just if you're exposed to 38 products and the

18 Debtor only knew about three of those at the time

19 they settled or maybe the Debtor knew about 38 at

20 the time they settled, that's a factual question --

21 Q. Sure.

22 A. -- that's all Paragraph 16 is talking

Page 215

1 about, that factual question.

2 How that enters into an estimate --

3 estimate of future liability becomes a modeling

4 question, which is moving into Paragraph 17.

5 Q. Okay. Before we do that, let's talk

6 about what you just said about the mathematical

7 aspect of that.

8 If I take that calculus that you just

9 undertook and overlay an administrative settlement

10 on top of it, how does that factor into that

11 calculation?

12 MR. EVERT: Object to the form of

13 the question.

14 THE WITNESS: It depends on the

15 nature of the administrative settlement. It

16 becomes fact-specific.

17 BY MR. HOGAN:

18 Q. Okay. And you understand generally

19 how administrative settlements work?

20 A. There's a whole range of them --

21 Q. I --

22 A. -- I understand generally the range

Page 216

1 of administrative settlements in the asbestos

2 environment.

3 Q. Okay. So you understand that in a

4 large share of those administrative settlement

5 constructs, that there weren't questions asked

6 about alternative exposures.

7 Do you understand that?

8 A. I am aware that there are

9 administrative settlements where that information

10 is not exchanged.

11 Q. You're aware that there's

12 administrative settlements where that information

13 is not requested?

14 A. I believe that's true as well.

15 MR. HOGAN: All right. I don't

16 have anything else. Thanks for your time.

17 MR. EVERT: All right. Thanks,

18 everybody.

19 (Witness excused.)

20

21 (Deposition concluded at

22 approximately 5:11 p.m. EDT.)

Page 217

1 CERTIFICATE

2 I, Cindy L. Sebo, Nationally Certified Court

3 Reporter herein do hereby certify that the foregoing

4 continued deposition of CHARLES HENRY MULLIN, PH.D.

5 was taken before me pursuant to notice, at the time

6 and place indicated; that said witness was previously

7 duly sworn remotely by a certified stenographer to

8 tell the truth, the whole truth, and nothing but the

9 truth under penalty of perjury; that the testimony of

10 said witness was correctly recorded to the best of my

11 ability in machine shorthand and thereafter

12 transcribed under my supervision with computer-aided


13 transcription; that the deposition is a true and

14 accurate record of the testimony given by the witness;

15 and that I am neither of counsel nor kin to any party

16 in said action, nor interested in the outcome thereof.

17

18 

19 Cindy L. Sebo, RMR, CRR, RPR, CSR, CCR,

20 CLR, RSA, NYRCR, NYACR, CA CSR #14409,

21 NJ CCR #30XI00244600, NJ CRT

22 #30XR00019500, Washington CSR

#23005926, Oregon State #230105,

TN #CSR 998, Remote Counsel Reporter,

LiveLitigation Authorized Reporter

Page 218

1 C. Michael Evert, Jr., Esq.
 2 cmevert@ewhlaw.com
 3 May 9, 2023.
 4 RE: Armstrong World Industries, Inc., et al. v. Aldrich Pump
 LLC, et al.
 5 5/8/2023, Charles Henry Mullin, Ph.D. (#5905066)
 6 The above-referenced transcript is available for
 7 review.
 8 Within the applicable timeframe, the witness should
 9 read the testimony to verify its accuracy. If there are
 10 any changes, the witness should note those with the
 11 reason, on the attached Errata Sheet.
 12 The witness should sign the Acknowledgment of
 13 Deponent and Errata and return to the deposing attorney.
 14 Copies should be sent to all counsel, and to Veritext at
 15 cs-ny@veritext.com.
 16 Return completed errata within 30 days from
 17 receipt of testimony.
 18 If the witness fails to do so within the time
 19 allotted, the transcript may be used as if signed.
 20
 21 Yours,
 22 Veritext Legal Solutions

Page 219

1 E R R A T A
 2 WITNESS: CHARLES HENRY MULLIN, PH.D.
 3 DATE: May 8, 2023
 4 CAPTION: Armstrong World Industries v. Aldrich,
 et al., In Re: Aldrich Pump, LLC
 5
 6 PAGE LINE REASON FOR CHANGE:

 7
 8 PAGE LINE REASON FOR CHANGE:

 9
 10 PAGE LINE REASON FOR CHANGE:

 11
 12 PAGE LINE REASON FOR CHANGE:

 13
 14 PAGE LINE REASON FOR CHANGE:

 15
 16 PAGE LINE REASON FOR CHANGE:

 17
 18 PAGE LINE REASON FOR CHANGE:

 19
 20 PAGE LINE REASON FOR CHANGE:

 21
 22 PAGE LINE REASON FOR CHANGE:

Page 220

1 E R R A T A
 2 WITNESS: CHARLES HENRY MULLIN, PH.D.
 3 DATE: April 28, 2023
 4 CAPTION: Armstrong World Industries v. Aldrich,
 et al., In Re: Aldrich Pump, LLC
 5
 6 PAGE LINE REASON FOR CHANGE:

 7
 8 PAGE LINE REASON FOR CHANGE:

 9
 10 PAGE LINE REASON FOR CHANGE:

 11
 12 PAGE LINE REASON FOR CHANGE:

 13
 14 PAGE LINE REASON FOR CHANGE:

 15
 16 PAGE LINE REASON FOR CHANGE:

 17
 18 PAGE LINE REASON FOR CHANGE:

 19
 20
 21
 22 DATE CHARLES HENRY MULLIN, PH.D.

Page 221

1 ACKNOWLEDGMENT OF WITNESS
 2
 3 I, CHARLES HENRY MULLIN, PH.D., do hereby
 4 certify that I have read the foregoing pages herein,
 5 and that the same is a correct transcription of the
 6 answers given by me of the proceedings taken remotely
 7 to the questions therein propounded under penalty of
 8 perjury, except for the corrections or changes in form
 9 or substance, if any, noted in the attached errata
 10 sheet.
 11 _____
 12 DATE SIGNATURE
 13 Subscribed and sworn to before me
 14 this ____ day of _____, 20____.
 15
 16
 17 My Commission expires:
 18 _____
 19
 20
 21 _____
 22 Notary Public

&	126:2 140:12	100 7:16 35:8	111:7 125:21
& 3:3,16 4:4 6:3 7:13 8:10 8:13	178:10 179:13 179:14,16,21 180:6,7 203:9 204:4	44:8 47:20 48:3 82:1 100:22 101:4 108:12 140:8 151:6 155:14 171:7 173:8,9 182:17	126:13,20,21 126:22 127:3,5 134:17 143:11 146:19 147:3,7 147:10,11,17 148:18 150:2,6 150:19 154:18 154:19 177:12 179:16 180:4,9 182:17 183:22 185:14 197:6
0	1.5 114:1,4,12	1000 6:5	12,000th 89:7
0 109:13 111:4 113:15,16,18 127:2,12	10 15:9,9 31:3 38:4,16 40:6 40:21 41:1,3,5 41:6,14 43:3 43:12 56:3,6 56:17 57:5,10 58:3 59:17,20	10013 8:14 101 8:12 10:18 10th 74:17	12,001 97:3,6 120 181:1 1240 52:6,8 13 112:3 190:6 1311 4:15 1372 217:18 14 117:19 144 9:10 14409 1:19 2:9 217:19
01 96:21 111:4	60:1,4,13 61:1 61:2,22 62:6 63:3,18 65:10 77:1 78:3 79:1 81:8,22 84:4 89:12 95:18 103:18 104:6 104:10,10,14 106:20 107:19 110:14,21 112:12 113:11 127:1 142:17 170:10 171:8 174:5 175:1,10 179:19 180:12 180:14,21 182:14 199:22 200:15,18 201:19,19	11 1:10 9:9 89:11 111:1 11,000 89:11 11,999 89:7 111:7 110 7:6 1100 3:18 111 5:11 1152 4:7 11th 6:13	15 38:9 48:21 49:2 60:14 72:18 73:2 113:8 119:6 128:16 131:12 132:19 142:7 165:1
02108 3:6		11 1:10 9:9 89:11 111:1	
07068 8:6		11,000 89:11	
07102 7:17		11,999 89:7	
1		111:7	
1 10:9 17:5,7 17:16 18:20 20:15 26:22 32:11 37:18 72:18 87:15 109:13 111:11 113:18 140:4 180:22		110 7:6 1100 3:18 111 5:11 1152 4:7 11th 6:13	12409 1:19 2:9 217:19
1,200 47:20,22 48:7,13 56:14 63:22 73:14,17 73:19 74:2 75:4 76:7,15 76:20,22 77:6 77:9 79:2 83:3 83:9,12 84:4 85:10 86:19 90:7,16,18,22 91:10,13 92:1 93:5 95:19 103:18 114:11		12 48:1 58:4 111:17 12,000 15:12 40:12,16 42:3 44:4 61:16 69:18 70:9,13 71:9 73:15,17 73:18 74:2 75:2,5 76:15 85:20 86:6,15 88:2,17 89:6 89:10 96:17 97:1,2,4,6	14409 1:19 2:9 217:19

<p>16 48:21 49:2 82:17 85:4 91:14 119:8,9 176:19 190:6,6 199:21 207:13 214:16,22 1650 3:11 17 10:10 25:20 83:19 85:7 91:9 119:8 176:19 214:13 214:15 215:4 1735 6:19 18 27:8 38:13 18th 151:12 19 28:7 92:21 1910 5:11 19103 3:12 19103-7599 6:20 19801 6:6 19801-3034 6:14 19806 4:16 1995 156:13 19th 201:11 1:00 198:21 1:06 1:15 2:16 11:6 1:59 65:14</p>	<p>2,000 198:10 2,400 74:6 77:6 77:8 20 28:19 46:18 61:22 76:13,16 76:17 77:8 87:18 113:8 114:1 142:16 142:19 173:22 221:14 20-30608 1:11 200 142:14 20001 2:3 20005 3:19 20005-1706 4:8 2001 156:3,7,8 2005 42:4 148:4 150:9 156:16,17,20 157:7 2010 158:16,18 158:19 159:2 160:6 2014 204:2 205:1 206:15 202.339.8567 4:9 202.862.5069 3:20 2020 151:12 2023 1:15 9:5 10:5 11:6 17:12 22:11 218:3 219:3 220:3</p>	<p>207 9:11 21 183:9 210 142:21 212.308.0070 8:15 21202 5:12 215.398.0554 3:13 215.864.8265 6:21 217 9:15 218 9:16 219 9:17 22 185:2,7 191:15 22-00303 1:5 221 9:18 23 95:5 23005926 1:20 2:13 217:20 230105 1:20 2:13 217:20 24 71:18 115:8 240 76:15 25 68:19 69:13 70:4,14 84:12 84:15,21 119:1 129:17 145:17 145:20 146:9 184:11 25,000 66:18 69:21 70:2 71:8,11 26 119:19</p>	<p>26th 3:5 27 80:15 120:11 270 80:22 28 121:4 220:3 29 122:8 2:10 65:14</p>
			3
			<p>3 10:17 15:10 15:11 40:13,16 40:18,19,21,21 40:21 41:3,4,4 41:6,14,15 42:20 43:2,3,3 43:3,12,13 44:4,7,8 58:11 64:15 65:1,1,2 65:3,5 66:3,4,5 70:12,12 71:20 71:21 72:1 77:20 87:7,16 101:12,12,16 140:5 155:11 155:13,17 3,000 197:8 30 25:20 27:8 75:15,17 77:13 77:15 80:5,18 95:6 112:11 124:9 142:7,14 218:16 300 75:15 77:12,16,18 302.252.4447 6:15</p>
2			
<p>2 10:12 35:19 51:7,11 71:18 174:20,22</p>			

<p>302.571.6616 6:7 302.656.7540 4:17 3030 3:11 30326 5:6 30th 22:11 200:10 30xi00244600 1:19 2:10 217:19 30xr00019500 1:19 2:11 217:20 31 124:10 312.782.3939 7:8 32 125:15 126:13 3455 5:5 38 214:17,19 3:26 131:5 3:39 131:5 3m 28:2</p>	<p>66:14 69:12 70:18 72:1,11 140:9 143:11 145:3,6,9,10,16 155:1,5 408 205:6 435,000 40:15 443.573.8507 5:13 48 71:10,15 4800 7:6</p>	<p>5:00 198:21,22 199:1,8,18 200:4 5:11 216:22</p>	<p>9 9 110:5 162:13 162:19 164:9 165:17 218:3 9,000 197:2 90 65:2 80:19 80:21 104:3 126:20 174:7 182:13 919 6:13 97 64:20 973.597.2302 8:7 973.849.4181 7:18 99 96:19,20 109:11,12 99.9 173:18 998 1:20 2:14 217:21 9th 8:13 17:11</p>
<p>4</p>	<p>5</p>	<p>6</p>	<p>6</p>
<p>4 61:18 40 61:3,6,8 80:5 400 60:22 61:1 61:7,8,12,13 68:20 79:17 400,000 40:10 40:11 43:19,20 44:2,12,15,18 44:21 66:2,9</p>	<p>5 52:18 59:20 59:22 60:3 63:20 96:18 109:10 113:10 5'4 111:12 5/8/2023 218:5 50 66:18 68:19 69:16 70:3,4 80:6 87:8,17 140:8 145:20 166:9,10 184:10,14 50,000 69:13 70:14 71:16 145:17 146:10 500 61:18 77:20 51 2:2 10:16 51st 6:19 55 17:11 18:7 5905066 1:22 218:5</p>	<p>600 64:3 60606 7:7 617.557.5916 3:7 678.651.1250 5:7 6th 20:18</p>	<p>7 7.5 114:4 70 142:20 75 84:18 129:20 184:9</p>
<p>8</p>	<p>8</p>	<p>8</p>	<p>8</p>
<p>8 1:15 9:5 10:5 11:6 106:16 219:3 80 42:11 69:15 69:19 173:21 86,000 195:6 196:2 8th 8:13</p>	<p>9</p>	<p>9</p>	<p>a aanselmi 8:16 abbreviated 12:12 ability 95:10 110:4 114:22 178:19 217:11 able 13:12 32:20 39:3 46:1 60:15 76:3 77:22 80:17 81:13 82:9 85:9 90:3 101:13 109:9</p>

<p>129:4 157:2,3 159:21 173:3 204:16,18 206:4,5,8,14 above 119:2 218:6 abraham 10:17 101:17 absence 39:13 110:18 absent 40:3 absolute 103:11 absolutes 171:2 academic 121:19 acands 51:3 acc 3:2 144:2 177:10 180:15 acceptable 172:19 174:1 accepted 202:6 access 40:1 108:22 109:19 110:3 144:3 accessed 99:22 101:1 accomplish 118:12 account 82:8 accuracy 107:12 125:16 125:22 218:9 accurate 92:10 217:14</p>	<p>accurately 48:15 110:9,17 157:21 ace 28:1 29:1 33:22 acknowledges 120:5,9 acknowledging 123:3 acknowledg... 9:18 218:12 221:1 acllp.com 8:16 action 21:5 34:6 136:4 217:16 actions 24:9 actor 99:22 actual 68:14 141:15 186:5 188:22 actually 56:6 59:11 65:9 72:9 74:11 78:10 83:7 91:15 92:10 96:9 107:1 108:20,21 109:15 111:15 112:4,4,15 113:1,18 117:8 118:11,17,20 120:14 121:1 133:9 137:4 143:7 171:16</p>	<p>173:14 185:14 187:22 actuarial 176:2 add 59:22 81:22 82:11 126:14,22 127:1,2 adding 81:18 127:1,2 142:13 142:19 additional 91:5 96:19 148:11 address 39:2,3 59:7 67:1 77:10 78:5 105:14 142:3 214:5 addressed 104:13 141:4 addresses 77:3 104:5 addressing 57:1 adds 80:20 administrative 68:4,6,9 215:9 215:15,19 216:1,4,9,12 administrator 2:8 adopt 132:12 advance 20:2 49:9 102:7 advantage 198:14</p>	<p>adversary 10:16 51:15 advise 88:11 122:6 138:15 152:8 advising 152:14 aearo 26:21 32:6 affect 15:13 16:6 affects 45:15 affiliated 29:5 afternoon 11:22 144:13 144:14 207:7 207:11 age 112:10 aggregate 164:17 ago 52:20 68:18 86:1 98:7 agree 71:7 72:12 73:15 77:13,16 86:3 100:21 101:2 122:8,12 124:11 129:8 133:17 134:21 135:3 167:17 170:2 175:4 194:4 203:5 207:20 208:6 208:13,20</p>
--	---	--	--

<p>209:19,22 210:3,13 agreed 21:16 agreement 180:17 181:14 ahead 78:16 94:10 141:18 159:3 184:20 aided 217:12 aig 34:20 aiu 28:14 34:14 al 1:5,8,11 9:4 9:4 10:4,4 218:4,4 219:4 220:4 aldrich 1:8,11 5:2 9:4 10:4 43:18 69:16 135:16,22 136:8,10 147:22 149:8 153:13 154:10 155:21 159:13 161:19,21 162:2 163:21 175:21 191:17 197:5 218:4 219:4,4 220:4 220:4 algorithm 192:21,22 algorithms 191:20 192:3 aligned 13:21</p>	<p>allan 8:20 allegation 194:6,7 allegations 132:21 212:2 alleged 128:19 128:22 allianz 28:22 allotted 218:19 allow 39:1 83:20 175:17 212:1 allowed 26:7 184:3,6,17 201:20 alternative 50:6 82:20 90:15 113:9,22 115:10 132:20 133:2 134:10 135:20 136:8 175:22 207:19 209:11,15 210:18,19 212:18 213:10 214:6 216:6 amanda 3:4 americas 8:12 amount 62:11 113:13 115:20 116:17 127:9 166:1 amounts 83:22 91:10</p>	<p>analogy 111:1 111:5,9,10 analyses 15:13 26:20 61:4 86:20 166:1 169:1 178:4 analysis 30:17 30:20 31:7 33:14 39:5 40:20 46:9 56:22 62:1,4 62:15 64:2 66:20 68:5 79:5 85:19 86:19 89:14 91:14 109:7 122:18 135:11 141:14 157:7 160:1 163:4,18 165:16 167:6 167:10,14 169:5,8,16 170:1,1,4,20 171:6,16,18 175:15,16 176:9 177:7 178:9 179:5,7 202:10,13 203:15 205:1 206:12 212:15 analytical 121:6 analyze 67:10 76:3 107:16 131:17</p>	<p>analyzing 67:14 andrew 8:11 94:19 anecdotes 119:20,21 120:1,5 ankara 97:21 anselmi 8:10,11 54:7,17 69:7 132:12 139:12 141:1 answer 13:7,7 13:15,19 14:6 36:19 39:2,12 40:3 48:3 54:8 54:11 78:15 85:8 87:19 91:18 92:19 93:13 103:3,6 106:11 108:8 110:11 118:5 133:11 139:17 140:20 141:8 143:6 146:1,14 146:17 162:19 162:22 164:14 165:8 168:2,2 173:6 177:22 184:21 188:7 191:12 202:17 202:18,22 204:5,16 213:8 213:15</p>
--	--	--	--

<p>answerable 62:15</p> <p>answered 14:9 70:17 139:10 140:15 160:9 193:20</p> <p>answering 13:8 21:21 50:20 88:8 146:18</p> <p>answers 12:14 141:5 162:10 179:2 204:7 221:6</p> <p>ante 86:11 93:14 107:14</p> <p>anticipate 152:16,18,21 154:9 155:21 166:17</p> <p>anticipates 153:1</p> <p>anybody 101:2 199:18</p> <p>anybody's 97:3</p> <p>apart 186:8</p> <p>aphillips 3:8</p> <p>apologies 213:19</p> <p>apologize 17:8 21:20 22:10</p> <p>apparently 119:14</p> <p>appear 120:13</p> <p>appeared 129:18</p>	<p>appears 125:19</p> <p>applicable 22:2 106:5 191:20 218:8</p> <p>applications 16:9</p> <p>applied 15:21 75:12 192:13</p> <p>applies 113:6 118:3 126:8</p> <p>apply 47:21 75:16,18 160:17 182:13</p> <p>applying 118:21</p> <p>appreciate 90:6 95:15 207:10</p> <p>appreciated 174:16</p> <p>approach 30:7</p> <p>appropriate 39:13 49:19 153:5</p> <p>approximately 2:16 56:14 66:13 72:1 81:8 83:9 165:6 216:22</p> <p>approximating 68:18</p> <p>approximation 66:17</p> <p>april 220:3</p> <p>arbitration 28:2</p>	<p>area 166:5</p> <p>areas 16:11 53:12 58:5,9 132:1,3</p> <p>arguing 73:12</p> <p>armstrong 1:3 9:4 10:4 218:4 219:4 220:4</p> <p>array 24:5</p> <p>asbestos 1:4 51:3 53:5 55:2 56:2 57:4 216:1</p> <p>aside 144:7</p> <p>asked 15:8,12 40:10,12,15,16 40:18,20 42:3 42:16 45:5 64:15 66:5,16 66:19 67:10 70:19 136:7 139:9,15,19 140:15 146:14 149:20 154:15 160:8 174:15 188:9 193:19 216:5</p> <p>asking 41:2 44:1 47:19 49:12 74:17,18 75:10 85:1 86:11 93:20 96:6 124:12 139:16 143:7 146:9 152:20</p>	<p>155:12,14 163:2 182:16 189:22 214:2</p> <p>aspect 49:14 50:17 159:6 188:22 208:9 215:7</p> <p>aspects 56:5,8 59:13 170:6 179:22 180:8</p> <p>assert 49:20 121:10 194:12</p> <p>assertion 195:4</p> <p>assertions 58:20</p> <p>asserts 106:16</p> <p>assess 207:18 209:9</p> <p>assessing 172:17</p> <p>assessment 38:19</p> <p>assist 16:12 40:12 199:2</p> <p>associated 45:19 56:21 57:17 67:21,22 140:12 178:7 182:6</p> <p>association 2:12 18:8</p> <p>assume 13:15 115:18,21 137:21,22</p>
---	---	--	--

<p>assumed 76:5 113:14 115:21 116:5 130:13 assuming 93:5 105:15 114:20 116:6 129:21 assumption 13:18 115:17 116:1 138:2 assumptions 16:4 93:21,21 131:14 169:4 assuredly 61:9 63:7 143:2 147:15,16 atlanta 5:6 attached 218:11 221:9 attempt 77:5 98:22 207:14 attention 207:12 attorney 218:13 attorneys 3:2 4:2,12 5:2 6:2 6:10 7:2,12 8:2 8:9 154:21 attribute 110:8 audience 137:7 138:5 august 151:12 authorized 1:20 2:15 217:21</p>	<p>automated 185:5 available 26:14 26:15 32:19 41:3 61:16 113:10 124:18 124:20,21 156:5,12 170:5 198:21 209:5 218:6 avenue 2:3 4:15 8:12 average 48:7 115:14 180:22 aware 37:21 98:13 99:20 139:8,10 200:10,17 207:19 208:4 208:11 209:10 209:18 210:2,5 210:20,22 214:6,9 216:8 216:11 awareness 208:5 211:2 awful 62:6 81:18</p>	<p>121:21 126:10 131:2 153:16 153:19 156:13 156:16,17,19 157:14,20 158:19 159:2 159:13 161:4 179:4 180:19 196:7 203:16 204:6,7 205:22 background 23:10 130:2 backgrounds 45:14 backing 104:19 bad 21:1 120:3 ball 49:9 ballard 6:11 ballardspahr... 6:16,22 baltimore 5:12 bank 69:6,9 bankruptcy 1:1 10:15 26:22 51:15 151:9,16 151:18,21 155:22 158:12 158:16 159:8 159:20 bar 58:20 bargain 127:15 barn 139:6 base 95:10 based 82:3 90:7 106:2 120:14</p>	<p>123:2 141:21 142:10 143:3 151:10,11 155:2 206:20 baseline 62:12 62:14 142:5 165:3,11 bases 113:8 basic 74:12 basically 178:20 basis 55:11 56:1 107:5 137:14 178:5 185:9 bates 8:19 35:21 96:2,9 96:12,16,21 97:16,17 98:9 98:14 99:14,21 100:3,3 109:13 126:17 151:11 152:3,22 158:3 158:7,9,21 168:17 171:9 171:22 174:6 175:14 176:15 177:14 bberens 7:9 beginning 2:15 behalf 2:17 42:22 43:20 44:21 48:2 86:13 207:8</p>
	<p>b</p>		
	<p>b 7:4 back 14:22 37:17 54:10,14 65:17 72:17 78:2 93:13 110:5 119:5</p>		

<p>behave 106:1 behavior 49:22 50:2 believe 18:9 28:18,21 51:1 52:16,19 53:2 69:12 88:15 94:4 102:6 132:22 141:3,5 161:14 163:6 174:19 202:9 216:14 believes 107:2 120:18 benchmark 189:6 benchmarking 196:17 beneficial 138:8 benefit 14:4 30:16,20 31:7 33:14 38:19 39:5,8 56:22 81:13 107:20 109:6 137:4 170:3,20 171:6 178:8,11,13 179:5 192:14 192:17,19 202:9 206:12 benefits 38:20 38:22 39:21 93:1 178:14</p>	<p>bermuda 28:1 33:22 34:5 best 12:17 81:15 107:2 176:6 178:14 178:15 204:20 217:10 bestwall 27:17 33:17,20 110:7 152:4,17 153:1 153:14,17 161:12 183:14 184:1 191:18 191:21 beth 6:12 better 46:13 89:15 93:13 120:7 146:6 166:13 204:15 beyond 36:8 58:15 119:2,11 150:6 189:12 bias 112:5,22 biases 159:17 159:22 162:7,8 big 48:14 59:12 80:15 106:12 140:10 bigger 77:9 114:2 123:22 165:4 biggest 63:19 bill 187:17 189:5</p>	<p>billed 195:6 binding 57:1 87:1 182:7 183:2 bit 20:12 42:10 49:13 74:22 94:12 122:18 166:14 blanket 103:21 boiler 5:2 bold 123:11 borne 195:15 boston 3:5,6 bottom 28:11 29:4 34:14 brad 7:4 breach 96:16 97:1,12 98:8 98:22 99:4,8 126:12,19 127:4,8 breached 98:14 breadth 135:20 break 14:15,21 21:15 45:17 61:20,22 62:3 62:18 65:18,21 127:22 131:11 134:19 209:12 breakdown 59:21 breaking 61:4 breaks 171:3 brian 6:18</p>	<p>briefly 12:1 bring 132:19 193:8 broad 38:13 75:21,21 87:11 103:2 105:4 203:21 broader 57:11 90:9,11 124:20 126:4 166:11 183:5 broken 55:8 brought 25:15 43:22 44:9 70:5 72:10 159:18 brush 38:14 bucket 112:1 build 194:8 building 164:2 bulk 48:20 57:1 95:4 bullet 27:7 28:19 bunch 61:10 bundle 63:9 burden 121:6 187:12,20 188:12,21 189:8,11 190:10 195:5 195:11,14 burdensome 122:11 191:18</p>
---	--	--	---

<p>bureau 24:3 27:12 33:4 business 187:1 187:8</p>	<p>career 29:10 cares 158:6 carolina 1:1 carpenters 48:11 carvelli 8:10 case 1:11 10:15 18:6 23:14,15 24:7,15 25:10 25:14 26:3,5 26:18 27:12 30:6,21 31:1 31:10,14,16,19 32:6 33:4,8 34:4 49:9,14 51:15 52:9 81:15 94:7,7 98:1 129:22 137:3,12,20 147:2 151:9,22 152:13 154:1 154:10 155:22 164:10 167:11 180:12 184:1,2 184:22 189:11 190:10 191:3 195:1,3 197:9 197:12 200:22 201:12 205:7 205:11 cases 23:18 24:4,21,22 26:6,9,14,15 29:16,19 30:13 47:14 62:22</p>	<p>120:2 121:14 142:2 152:7,19 152:21 153:7 170:18 184:4 184:18 187:18 categories 105:4 category 104:22 cause 63:19 ccr 1:18,19 217:18,19 census 30:4,22 85:13,18,19 124:16,18 151:2 155:7,16 156:3,7,8 170:18 171:4 center 4:6 7:15 cents 196:4,6 certain 35:8 36:19 48:11 91:5 93:21 100:13,22 101:5 114:13 114:15 119:16 134:9 152:10 170:3,6 171:4 180:5 194:5 203:17 207:4,8 certainly 72:20 95:15 certainty 113:16</p>	<p>certificate 9:15 certification 35:18,19,20 certifications 35:22 36:12 certified 2:5,6 2:6,7,9,10,11 2:12 11:10,14 54:13 217:2,7 certify 217:3 221:4 challenges 122:21 chancery 34:15 change 72:5 114:22 219:5,7 219:9,11,13,15 219:17,19,21 220:5,7,9,11,13 220:15,17 changes 129:6 133:8 157:3,4 218:10 221:8 changing 98:6 114:22 127:8 128:22 156:22 chapter 1:10 characteristics 45:11 46:3 67:18,19,20,22 68:13,14 112:20 115:1 183:1 characterize 166:21 178:21</p>
<p>c</p>			
<p>c 3:1 4:1 5:1,4 6:1 7:1 8:1 11:2 217:1,1 218:1 ca 1:19 217:19 calculation 215:11 calculations 122:9 calculus 215:8 california 2:8 call 17:4 53:15 99:8 131:18 138:5 196:21 206:3 calling 18:20 calls 33:11,13 calvert 5:11 cannon 29:5 capdale.com 3:21 caplin 3:16 caption 219:4 220:4 capture 194:4 capturing 174:4 care 76:6 114:21</p>			

<p>charge 124:13 136:5 172:4 201:6 charles 1:14 2:1 9:3 10:3,9 11:9 17:17 217:4 218:5 219:2 220:2,22 221:3 charlotte 1:2 chicago 7:7 choice 71:5 choosing 114:7 chose 70:18,19 119:7 193:4 chosen 114:5 194:9 cindy 1:18 2:4 217:2,18 circle 3:18 circuit 22:7 circulated 201:11 203:3 204:1 cited 189:20 citing 125:4 civil 37:8,12 claim 8:9 31:10 31:11 44:19 47:22 56:12,13 56:19 63:14 71:1,1 73:14 73:15,17 83:7 83:14 84:9 89:8 90:19</p>	<p>91:6 125:8 135:13,15,16 135:21 136:2 136:11 147:9 149:9,9,10,11 149:12 153:18 153:21 154:11 155:8,20 161:5 161:21 163:17 163:19 164:6 165:15 170:11 176:2,22 177:15,18 178:3,19 179:1 179:20 180:2,7 180:18 182:5 182:10,15,20 183:6,7 185:14 196:1 197:21 209:4 claimant 56:11 74:7 83:3 98:19 133:19 134:5,20 135:10,12,13 136:2,11 149:9 149:14 150:8 159:12 178:22 181:21 185:4 185:12,21 190:17 195:22 210:12,16,16 claimant's 48:22 135:13 149:15</p>	<p>claimants 4:2 4:12 8:22 25:18 40:16 42:20 43:20,22 44:5,8,12,15 45:15,17 46:13 46:14 47:1,2 47:15 56:15 64:20 69:14 70:14,18,21 71:8 73:17,18 73:19,21 74:2 74:2 76:13,17 79:2,8 84:4,11 84:16,18 88:17 90:7,16 91:11 92:1 93:19 95:19,21 96:2 96:17,18 98:3 103:18 112:6,7 112:7,11,17 115:11 117:21 117:22 128:21 129:15,17 132:2 134:10 134:18 136:16 136:17 140:13 145:4,6,11,17 145:21 146:10 147:3 148:12 148:18 150:2,6 150:12,19 151:2 154:18 154:20 155:9 155:12 157:22</p>	<p>159:6 160:19 161:20 162:1 164:16 171:21 175:19 177:12 179:8,9 180:4 180:6,9 183:22 185:6,13 197:3 197:6,8,16 198:3,5,7 204:5,5 206:6 207:4,9 212:4 claimed 136:10 claims 15:11 24:9 31:2,8 40:10 41:18,22 42:7,8,12,15 43:19,22 44:6 44:9,16,21 46:5,8,16,18 47:8,9,21 48:7 48:13 53:5,15 56:1 57:14 58:7,12 59:6 59:14,17 60:22 61:1,13,16,18 63:13,20,22 66:2,9,10,11,14 66:20,22 67:1 67:3,5,5,7,7,10 67:14,16,18,18 67:20 68:1,2,3 68:13,14,16,19 69:12,13,14,16 69:17 70:8,10 70:22 71:22</p>
--	--	--	---

72:10,13,15 73:7 74:8 77:12,13,15 79:17,19 85:6 85:14 87:21 89:2,3,7 90:21 94:5 97:22 106:7,8,9 111:7 112:16 112:18,21 116:13 118:1,7 125:5,21 126:2 126:3,5 134:21 135:9,14 144:16 145:7 145:21 146:4 146:21 147:4,5 147:8,12,12,20 148:4,5,10,13 148:15,16,18 148:22 149:14 149:17 154:15 155:1,5,8 159:9,11,22 160:20,22 161:1,3 163:21 165:15 169:18 169:21 170:9 172:14,16 173:4 174:7 176:4,7,16 177:4,6 178:10 179:14 181:1 183:13 184:7,9 184:15 196:2	203:12 204:14 209:6 clare 5:10 clarify 19:13 40:9 139:20 clarifying 149:3 clarity 149:19 classic 116:22 clause 55:3 clean 194:18,20 cleaned 109:11 109:12 clear 13:19 41:8 72:2 100:15 106:19 136:3 clearly 106:17 165:1 click 99:11 clicked 99:4 client 70:20 108:19,22 109:6,19,20 114:7 151:17 152:10 clients 25:8 108:2,3,8 122:6 138:12 152:1 close 178:16 199:5 212:9 closer 70:3 clr 1:19 217:19	clustered 106:1 cm 10:8 17:5,7 17:16 18:20 20:15 37:18 51:7,11 72:18 101:16 174:20 cmevert 5:8 218:2 cmmaisano 5:14 cole 3:3 colleagues 152:9 collect 43:21 182:1 collected 56:11 colon 54:22 columbia 4:6 combined 43:19 136:6 combining 178:20 come 14:22 86:5 105:20 127:7 131:1 196:5 comes 23:21 62:14 138:13 181:21 comfortable 58:6 86:7,14 86:18 178:9 coming 109:16 commingle 149:21	commission 221:17 common 23:20 24:16 25:7 61:22 119:20 companies 25:2 25:3 company 7:12 36:6,9 comparable 196:22 compare 67:17 176:16 212:1 compared 178:18 179:1 compares 83:9 comparing 90:22 comparison 56:10,13 83:10 177:1,3 179:6 179:17,21 181:8,9 182:7 182:9,12 compensable 45:11,16 compete 122:10 complain 107:21 complains 107:10 complaint 104:22 complete 84:11 103:6 116:3
--	---	--	---

<p>130:17 141:6 156:13 175:18 completed 185:16 188:15 198:6 218:16 completely 33:11 50:10 115:6 117:8,16 130:19 component 141:13,16 composure 119:12 compound 123:18 comprehensive 33:13 compromise 205:6 computational 122:14 computations 122:14 212:14 computer 217:12 conaway 6:3 concept 180:19 conceptually 172:11 conclude 112:9 concluded 49:16 64:19 166:19 216:21 concludes 109:5</p>	<p>concluding 113:11 conclusion 107:18 108:1 111:15 112:2 113:2 119:18 120:20 158:12 197:15 conclusions 125:18 concrete 60:19 condition 105:8 confidence 58:10 75:18,20 81:11 82:14,16 90:9,12 113:13 113:15 114:16 154:5 203:21 confident 70:3 166:8,9 confidential 34:7 confidentiality 161:15 confirm 137:13 confirmation 47:10 53:6 54:5 confirmed 62:22 137:4 confuses 110:1 connected 34:18 connection 51:4 116:17</p>	<p>consider 35:16 188:20 considering 158:20 consolidated 136:4 constant 112:8 constrain 71:5 constrained 42:14 47:22 57:10 65:5 66:21 70:9 124:14,22 152:14 159:5 179:18 180:11 204:4 206:15 constraining 56:6 58:11 104:14 182:17 constrains 106:20 150:7 constraint 32:18 57:1 107:3 110:13 156:11 182:7 183:3 201:18 202:2 204:20 204:21 construct 151:6 construction 32:22 59:17 constructs 216:5 consumer 27:11 33:3</p>	<p>consumers 24:3 contain 38:3 42:10 68:12 194:13 contained 95:14,16 contaminated 194:21 contemporan... 58:22 152:2 contemporan... 91:16 158:2 content 21:7,8 95:13 context 19:14 23:22 24:1,19 34:3 55:12 59:15 64:17 68:9 73:13 78:17 98:3 108:9 130:18 132:5 137:3,7 137:9,19 138:10,11 152:13 153:13 211:16,20 contexts 24:21 24:22 25:8 42:9 continue 65:19 continued 4:1 5:1 6:1 7:1 8:1 217:4 continues 27:14,20 28:3</p>
---	---	--	--

28:8,16 continuum 209:18 contradictory 191:11 contrary 64:22 contrast 31:9 59:4 64:3 157:11 178:17 contrasted 84:9 contribute 204:9 control 15:7 79:9,11,13 108:15 109:2 112:21 119:10 122:3 129:5 173:12,17 204:18 206:5 206:14 controlling 79:14 130:7,7 157:11 173:2 180:1 182:20 182:21,22 conversation 19:19 coo 189:19 copies 17:10,13 17:14 51:7 218:14 copy 158:7,9 core 38:8 corner 116:9	correct 12:7,8 12:16,22 13:1 13:17 18:21 19:21 25:10,11 30:16 32:7 33:18 34:2 36:21 43:13 53:7 55:3,4,8 67:8,9 69:11 70:8,10 71:20 72:14,15 73:15 74:3,4 80:2 85:15,20,21 88:3 93:22 95:10 100:4 101:1,12 102:4 102:5 122:11 122:17 123:5 123:10,15 129:10 130:18 132:4 133:3,17 134:11 139:1,2 140:5,6 145:8 145:17 150:2 152:4,5 154:12 155:3 156:17 156:18 162:11 166:18 167:15 168:17 169:10 169:11,19,20 169:21 175:6 177:11 186:9 186:10 187:5,8 187:13,16 189:15 190:10	197:4,6,7,10,13 198:17,18 201:9,12 202:13 203:5 203:13 208:14 213:15,16 221:5 corrected 37:20 correction 117:18 118:2 corrections 221:8 correctly 75:12 192:1 212:8 217:10 cost 30:16,20 31:7,17 33:14 38:19 39:5,8 39:15 40:1 56:22 83:14,18 88:10 92:22 107:20,20 108:2,22 109:6 124:19 125:1,2 125:12 170:3,6 170:20 171:6 178:6,8,11,14 179:5 181:22 182:3,6,8,10 189:1,5,7 196:15,22 197:17 198:15 202:9 206:12 costs 38:21,22 39:14 40:17	57:17 81:12 188:4 195:6,18 196:11 197:11 197:19,20 198:1,16 counsel 1:20 2:14 8:20 11:19 13:4 19:8 20:2,6 40:11,18 42:17 84:12,12 86:12 144:10 145:4 152:9 162:10 207:3 217:15 217:21 218:14 counsel's 95:15 count 23:19 66:15 counter 177:5 counting 134:18 135:8 counts 180:2 182:20 couple 19:9 61:17,18 62:19 65:22 79:16 85:17 94:11 127:21 149:2 175:17 course 26:5 114:21 coursework 36:10 court 1:1 2:4,7 2:9 14:8 57:12
---	---	---	--

<p>74:22 79:1 80:7,9,16 81:15 87:2,7,9 89:15 92:12,15 92:18 93:6 106:20 151:19 163:17 166:4 166:18 200:11 202:3,8 206:17 217:2 court's 87:2 94:12 courts 75:22 cover 52:1 111:21 coverage 23:20 24:8 34:11,21 covered 110:22 covering 52:20 covers 104:1 crb 163:8 create 91:8,22 113:12 133:13 157:18 162:7 206:18 created 125:16 creates 81:4 194:21 credentials 36:7 criteria 150:13 critical 211:2,6 211:8 criticized 132:22</p>	<p>critique 59:9 104:8 124:8,10 cross 39:5 193:5 203:12 205:9 crosswalk 185:13 crp 63:14 crr 1:18 217:18 crt 1:19 217:19 cs 218:15 csr 1:18,19,20 1:20,20 2:13 2:13,13 217:18 217:19,20,21 culled 178:2 cumbo 8:19 current 53:4 67:2 152:12 157:2 currently 66:21 83:9 curve 59:16 176:2 custom 4:12 cutoff 150:10 150:16 151:3</p>	<p>daniel 4:14 207:8 dark 108:6 data 15:11 16:5 31:4,8,20,22 33:1 35:12,15 36:10,13,16 38:13 39:16,16 39:19,22 40:1 40:11,19 41:3 41:21 45:20 50:12,12 53:14 56:10,13 57:18 58:16 59:6,8 60:6,15 62:14 63:19 64:16 65:6 73:5 78:1 79:5,21 82:9 83:17 84:20 86:13 88:10,22 89:17 91:1,7 91:19 96:16 97:1,12 98:7 99:3,7,14 100:11,12,22 101:3 104:3,7 105:2,22 106:7 106:11 107:14 108:21 109:6 116:7 117:7 118:13,17 120:16 121:2 121:11 122:18 122:19 126:12 126:15,19</p>	<p>127:4,8,9 134:16,16 141:10 148:8 150:18 154:13 155:13,18 156:17,19 157:8,14,17 158:8 159:15 159:19 160:15 161:12 163:22 165:12 169:1 170:5,7,8,14 171:16 172:21 173:8,9,22 175:2 178:4,17 179:15 181:10 182:4,5,6,8,11 183:5,22 185:5 185:21 186:1,1 186:14 190:12 191:17 192:6 195:1,8,21 201:18 202:1 205:1 207:17 209:9 212:1 database 31:3 97:22 98:2 125:5 144:3 155:8 158:4,6 158:10,14,21 159:4,9 160:5 186:1,2,6 188:15,18 194:8,15 208:17 209:2,6</p>
	d		
	<p>d 11:2 d.c. 2:3 3:19 4:8 11:4 70:7 damages 212:14 dan 200:4</p>		

databases 184:4 185:12 188:19	172:21 174:8 175:7 177:14 179:9 183:10	49:7 53:4 55:2 56:2 57:4 58:12 68:10	declaration 10:9 16:13 17:11,17 18:6
datasets 157:18	186:8,16 187:3	82:21 88:18	18:7,8 20:15
date 17:19	187:8 188:4	90:15 97:18	20:21 21:1,4
22:15 51:17	189:19 191:19	98:2 103:19	21:13,18 25:22
101:19 150:10	191:19 192:4	111:19 125:4	37:18 38:2
150:10 151:3	193:17 195:19	128:20 134:4	49:2 72:18
151:14 200:14	200:11 209:9	135:14 136:4	92:22 94:16,20
219:3 220:3,22	dcpf's 187:1,12	136:16 138:1	110:7,10,12,15
221:12	187:20 188:12	148:12 149:6,7	110:19 128:10
datum 178:7	189:11 190:10	149:20 150:8	137:3 143:12
daubert 92:7	195:5 196:11	151:4,14 152:1	143:17,18,19
day 2:2 7:3	200:11	165:6 169:18	143:22 162:13
70:6 99:1	dcps 183:10	170:11 176:11	167:9 176:20
136:6,7 172:20	dead 199:18	176:17,22	183:9,16 185:2
221:14	dealt 26:22	177:14 179:8	189:15,15,19
days 218:16	debra 4:5	191:21 195:13	190:9,13 191:2
dbmp 152:4,17	debtor 41:11	195:15,18	191:9,15 192:1
153:2,15 154:2	46:16,18 47:4	196:11 200:20	192:5 207:13
161:12,18,20	48:19 145:7	203:3,12	declarations
183:14 184:2	147:4,9,13	207:18 208:3	22:1 33:19
186:21 188:4	148:18 149:11	208:17 209:10	decrease 46:5,6
189:6 191:18	149:12,15	209:14 210:11	162:14,15,18
191:21 192:8	150:15 170:10	210:14 212:6	164:8
193:6,8 195:7	181:15 184:12	212:16 213:9	decreased
195:13 196:15	208:18 214:3	debts 72:10	163:3
197:2,12	214:18,19	december	deemed 92:18
dcpf 6:2,10	debtors 1:12	200:19 201:11	default 89:19
144:10,18,22	5:2 7:2,2 23:5	decide 38:19	89:20,22
145:22 147:5	31:2 38:5 40:9	decided 206:17	defendant
147:13 148:19	41:17 42:22	decides 92:12	164:18
150:1 156:15	43:18 44:1,5,9	decision 200:18	defendant's
161:13,13	44:18 45:2,5	200:20 202:5	129:2
171:7,10 172:1	45:12 48:22	211:12	

<p>defendants 1:9 5:2 68:10 120:2 184:8 define 99:9 104:17 111:21 120:12 defined 55:15 defines 103:20 103:21 123:12 definitely 24:11 123:10 124:13 definition 150:21,22 151:1,5,7 188:10 definitively 74:10 203:7 degrade 82:15 degree 90:12 120:5 160:19 171:12 191:10 delaware 1:6 4:15,16 6:6,14 34:15 96:11,15 96:20 97:18 101:4 144:16 148:14 160:13 160:18 demographic 46:3 115:1,4 182:22 204:8,9 206:15 demographic... 206:7</p>	<p>demographics 112:7 115:2 156:22 denominator 72:4 department 35:18 depending 47:12 99:9 135:1 142:15 depends 69:7 75:8 91:14 166:14 177:6 215:14 deponent 218:13 deposed 12:6 deposing 218:13 deposition 1:14 2:1 10:8 14:8 17:16 19:4,5,8 20:3,13 22:4 51:11 77:14 101:7,16 178:1 191:2 216:21 217:4,13 depositions 179:2 deputy 8:20 derivative 175:21 describe 166:1 201:15</p>	<p>described 103:16 111:18 description 10:8 design 27:3 47:8 55:15 67:4 77:2 78:4 78:9,18 93:13 93:16 106:21 118:14 163:8 201:21 designed 27:12 33:8 designing 39:10 54:3 70:22 116:19 desires 114:7 despite 70:21 172:21 destroyed 158:11 detail 103:16 details 130:1 determinant 211:11 determination 55:22 204:13 208:18 211:9 214:4 determinations 168:16 determine 172:19 173:13 181:12 182:9 196:10</p>	<p>determined 173:15 determining 55:6 develop 45:10 193:2 developed 191:19 192:4 192:11,12 developing 120:1 193:14 development 62:20 dfelder 4:10 diagnoses 156:4 differ 164:20 difference 15:8 60:16 74:1 76:4 89:6 111:3,4,13,13 111:14 114:3 differences 112:22 122:3 different 16:3 24:5 30:12,13 37:5 45:8,19 46:2,3 48:10 48:12 55:9 56:20,22 66:1 80:13 88:19 93:9 95:12 98:3 108:4 112:20 125:4 139:18 161:19</p>
--	---	---	---

<p>165:19,19 166:7 169:14 172:6 175:17 182:22 186:4 differentiate 149:13 167:3 differently 92:4 differs 76:11 183:3 difficult 34:13 68:8 digit 142:9 dimension 168:12 direct 176:9 207:12 directed 150:1 177:13 201:8 direction 16:22 111:16 113:2 114:6,9 directionally 93:22 directly 50:17 50:20 109:21 135:20 146:19 175:20 176:1 disagree 74:12 75:9 113:7 121:14 127:11 164:11 disagreement 12:13 disagrees 102:16</p>	<p>disclose 26:7,15 91:16 108:10 134:10 152:12 disclosed 23:7 82:20 84:17,19 90:15 94:15 95:20 105:16 113:22 115:20 116:17 133:5 176:10,12 204:10 206:9 212:5 disclosure 79:11 83:20 85:5 204:17 disclosures 157:6 disconnected 117:15 discovery 22:3 34:22 68:11 89:1 117:1,10 121:8 141:13 148:6 159:6,10 160:14,21 161:8 discuss 25:16 91:8 121:7 162:14 discussed 78:4 86:9 90:20 110:6 119:2 128:1 136:19 137:1</p>	<p>discussing 66:6 94:17 102:7 128:6 145:3 discussion 51:9 102:1 119:13 discussions 21:20 27:17 disease 156:9 diseases 72:3 dismissal 42:13 69:18 dismissed 42:7 42:8 58:7 67:8 67:11,14,16,18 68:2 69:16,17 147:20,21 148:1,15,22 149:10,12,15 149:18 150:14 161:20 displaying 118:16 dispute 186:15 191:5,8 195:2 195:4 disputed 205:7 205:15 distinct 123:13 136:3 distinction 158:13 169:12 distribution 53:16 62:21 112:11</p>	<p>district 1:1,6 dive 37:20 divide 196:3 division 1:2 dkhogan 4:18 dkhogan.com 4:18 docket 17:11 18:6,7 52:6,8 151:11 doctor 15:5 73:1 84:1 100:21 document 18:1 18:15 20:14,17 20:20 26:2 52:13,15 171:10 172:6 documents 10:12 51:12 164:3 172:7 177:19,20 doing 22:13 32:18 45:13,16 47:13 58:6 61:4 74:20 94:9 125:1 141:22 151:17 154:14 155:9 168:6 172:5 173:12 176:4 179:12 182:7 188:14 192:16 212:14,15 213:6,9 214:15</p>
--	---	---	--

<p>dollar 87:6 165:4 189:7 dollars 60:3 165:2,11 181:22 domain 26:21 35:7 154:6 doors 107:6 double 69:17 74:15 147:19 doubling 138:20 downloaded 97:22 dpmp 108:9 dr 11:22 17:10 18:1,16 19:15 19:20 21:13 22:2,10 38:2 52:14 59:9 65:17 74:12 75:9 76:4 77:13 101:10 102:4,8 104:9 106:15,16 107:5,11 111:19 119:3 121:5 128:11 131:8 132:10 133:1 144:13 157:5 206:21 207:7 draft 16:19 dramatically 114:2 124:5</p>	<p>125:13 draw 112:6,17 146:22 197:14 205:15 drawing 110:18 169:13 drawn 12:3 27:1 116:12 122:4 draws 125:18 drill 41:10 54:19 drilling 43:12 drive 7:6 8:5 127:12 drop 58:6 dropped 58:7 dropping 204:14 drops 58:22 drysdale 3:16 due 123:14 duly 11:10 217:7</p>	<p>204:14 212:10 early 128:1 easier 122:20 easiest 91:21 easily 59:22 105:20 easy 46:17 ecf 25:21 econometrics 15:20 economic 15:21 economist 15:19 189:4 edit 16:20 edt 1:15 2:16 11:6 65:14,14 131:5,5 216:22 effect 142:22 effective 174:3 effectively 30:10 effects 162:5 efficiency 94:9 121:12 201:22 203:8 efficient 30:5 30:14,15 94:1 106:22 110:12 203:4,7 effort 205:6 eight 19:6 either 29:20 108:9 113:10 120:6,19,21 152:17,19,21</p>	<p>153:1 208:10 210:17 electric 29:5 34:15 electricians 48:10 electronic 17:9 31:4,6,15 45:21 56:20 73:16 83:15,16 125:2,7,9,12,14 170:14,15,19 170:22 171:12 172:7 177:20 177:21 178:6 178:18 181:20 190:12 209:6 electronically 10:7 124:18,20 124:21 element 107:22 211:18,20 elements 79:13 eliminate 78:19 eliminated 42:7 42:13 67:7 86:15 108:16 eliminating 86:14 109:3 148:15 149:1 embedded 173:5 emphasis 25:19 emphasizes 123:15</p>
	<p>e</p>		
	<p>e 3:1,1 4:1,1 5:1 5:1 6:1,1 7:1,1 8:1,1,11 11:2,2 205:8 217:1,1 219:1 220:1 earlier 29:10 94:20 101:10 105:7 143:17 145:5 150:16 154:8,17 177:9</p>		

<p>empirical 50:9 50:16 168:3 169:1 171:19 employees 99:11 employs 193:17 empty 171:14 enable 39:22 82:19 120:7 endeavor 207:14 ends 113:11 english 7:13 enjoy 139:22 enter 50:20 159:22 175:16 176:8 entered 207:18 209:10 210:11 210:14 214:3 enters 215:2 entire 31:2 35:17 39:6 41:20 76:7 105:16 119:13 125:20 129:13 130:20 150:19 170:9 205:5,19 entirely 104:2 106:3 119:6 125:19 entirety 125:6 191:1 entities 44:16 44:20 142:11</p>	<p>145:7 147:4,9 147:13 148:1 148:13,19 162:3 212:3 entity 99:10 envelope 196:7 environment 121:6 216:2 envisioned 56:12 83:11 envisioning 92:1 epidemiologi... 156:6 equation 167:18 erens 7:4 ergo 104:11 errata 9:17 218:11,13,16 221:9 erroneously 112:9 error 23:7 94:14 114:10 115:12,14 123:18,20 124:1 172:13 172:15,19 173:2,13,15 174:1 errors 25:5 122:10 especially 122:20</p>	<p>esq 218:1 esquire 3:4,10 3:17 4:5,14 5:4 5:10 6:4,12,18 7:4,5,14 8:4,11 essence 76:16 established 212:3 estimate 46:7 46:11 47:15 48:1,15 57:9 57:11 59:20 60:2 62:1 64:21 80:10,17 80:19 81:20 82:10,10,15 87:5 90:7,11 90:13 92:9 115:2,18 116:2 117:20 118:3,4 126:4 133:9,14 136:7,8 138:1 163:8,20 164:17 165:22 166:8 169:9,13 169:18 176:7 195:20,22 196:14,20 215:2,3 estimated 163:16 165:14 estimates 115:3 169:8,10 estimating 16:2 45:7 53:22</p>	<p>54:3 56:2 59:13 68:7 115:9 116:6 118:1,8 125:20 129:1 136:12 141:14 157:21 164:6,12 169:22 estimation 15:14 16:4,8 39:1 42:18 50:15,21 53:4 55:2 57:4 59:1 66:21 67:3 73:6 74:7 80:14 105:4 130:18 135:10 136:2 141:16 163:9 165:19 180:9 204:12 206:3 estimations 118:18 et 1:5,8,11 9:4 9:4 10:4,4 218:4,4 219:4 220:4 evaluating 135:12 evaluation 62:20 eventually 67:4 213:5 evert 5:3,4 17:6 18:2,12 20:19</p>
--	--	--	--

21:14 22:18 23:14,16 28:13 49:10 52:4,8 53:8 64:7 88:4 94:18 100:10 100:16 102:10 102:21 127:17 137:16 140:14 140:21 141:2 143:15 146:8 148:20 158:22 160:8 167:19 168:1,18 174:16,20 181:5 183:18 187:21 193:19 198:19 199:11 199:17 200:3 201:13 202:14 205:3,14 208:21 209:20 211:4 212:20 213:12 215:12 216:17 218:1 everybody 18:4 35:20 51:8 115:19 216:18 everyone's 100:19 everything's 84:19 evidence 52:21 179:7 189:4 evolving 157:13	ewhlaw.com 5:8,14 218:2 ex 86:11 93:12 93:14 107:14 121:10 exacerbate 123:6,8 exacerbating 123:4 exact 66:15 67:22 111:15 113:20 145:15 145:19 147:16 172:9 184:16 184:20 185:1 195:9 198:12 exactly 59:8 60:7 63:17 108:22 154:14 196:6 examination 9:2,8 11:19 144:10 207:3 examine 205:9 example 26:12 26:18 31:1 42:12 56:9 58:4,18 59:16 60:21 61:19 71:8 84:10 94:4 114:5,7 119:7,8 156:2 175:20 examples 40:14 113:9	excellent 22:6 22:21 37:22 52:17 71:19 127:14 132:11 139:22 except 221:8 exchanged 216:10 exchanges 138:22 excluded 150:13,17 excuse 24:21 45:2 137:12 excused 216:19 exemplar 51:1 exercise 39:19 45:13 47:12 50:16 89:1 107:14 108:3 108:20 117:1 117:10,15 123:17,21 126:11 141:12 142:11 168:4 180:8 181:9 189:2 192:16 195:16 196:18 197:16 207:21 208:1 exercises 22:13 172:10 180:5 exhaustive 68:11	exhibit 10:8 17:16 51:11 101:16 174:22 exhibits 10:2,7 exist 82:13 121:22 123:6 exists 54:2 97:6 158:15 expand 82:14 82:15,16 expands 95:5 expect 50:15 61:3 62:2,3,4 105:8,18,22 165:14 191:16 197:11,17 198:8,11 expectation 206:18 expecting 63:16 171:20 expedite 199:3 expense 56:21 expensive 31:12 122:4 178:4 183:6 192:16 193:11 193:15 198:9 198:12 experience 26:1 75:21 80:8 82:4 93:17 119:20 138:12 141:21 143:3 146:22 192:14
---	---	---	---

<p>192:18,20 193:13 expert 10:17 15:6 23:11 26:4 35:11 36:16 101:17 109:20 130:6 130:11 133:18 153:7 168:8 187:19 188:21 expertise 15:18 15:20 16:2,10 experts 121:10 121:13 122:12 139:22 expires 221:17 explain 15:8 41:13 82:6 explained 162:20 explicit 107:1 explicitly 107:9 exposed 214:17 exposition 166:14 exposure 50:6 59:16 82:20 96:10 108:7 126:16 132:3,3 132:21 133:2 133:20 134:1,5 134:11,20 135:2 175:11 175:15,19,21 176:14 178:19</p>	<p>178:22 180:3 194:9,10,13,16 194:17,18,20 212:1,4,4 exposures 48:22 56:9 58:21 59:19 84:16 90:15 91:16 113:10 113:22 115:10 116:18 128:19 128:22 129:19 135:19,20 158:1 175:22 176:10 204:10 206:9 207:19 209:11,15 210:3,18,20,21 212:18 213:10 214:6 216:6 expressed 168:13 extensive 15:20 extent 86:4 128:21 174:2 174:10 177:3 188:2 external 99:22 107:2 201:17 204:19 extra 57:16 198:1 extract 188:14 188:17 189:2</p>	<p>extracting 185:22 192:6 extraction 185:5 186:5 extrapolate 121:17 176:6 extrapolating 49:18 121:20 extrapolation 121:12 143:10 extrapolations 106:2 eyes 87:2</p> <hr/> <p>f</p> <hr/> <p>f 217:1 faced 41:17 44:18 facility 96:11 96:15 97:19 101:4 109:11 144:17 148:14 160:13,14,17 160:18 facing 204:19 fact 30:3,21 50:14,16 60:8 63:15 70:21 78:15 89:18 115:6 124:3 133:7 149:8 153:7,18,20 181:19 186:1 186:15 188:3 191:16 193:12 194:20 215:16</p>	<p>factor 68:5 117:19 118:2 215:10 factors 82:7,7 113:19 facts 32:1 118:21 factual 214:8 214:20 215:1 failed 96:14,15 126:16 failing 91:15 fails 126:17 218:18 fair 95:1 102:12 212:7 fairly 36:18 51:2 100:13 fall 56:17 57:2 143:2 188:9 falls 55:7,13 false 99:11 familiar 43:7 129:12 130:5 138:10 144:19 167:12 201:1,2 fan 100:19 faster 46:5 fault 138:7 fcr 144:2 177:10 180:16 feasibility 71:1 feasible 47:10 54:4 57:19 90:8 91:13</p>
---	---	--	--

<p>166:11 169:3 federal 37:8,12 40:15 feeds 163:12 feel 72:20 155:4 felder 4:5 felt 58:9 female 76:18 females 76:12 77:6,7 fewer 125:2 174:7 field 96:10,14 96:18 126:15 130:6 133:19 186:12 193:9 194:10,18,20 fields 108:7 109:10 126:16 171:14 175:2 175:11,15 176:15 186:1,5 189:2 192:6,12 194:9,13 fight 122:14,14 figure 68:1 161:6 189:7 figured 66:17 figuring 176:6 file 22:4 44:19 56:13,19 126:3 148:2,13 177:18 178:3,5 178:5,19 179:1 179:20 180:8</p>	<p>182:6 183:7 196:1 197:21 206:2 filed 17:11 18:5 18:8 22:2 33:19 44:15 52:1,3 136:11 137:3 158:16 160:20 163:22 184:7 185:14 files 31:10,11 56:12 73:14,15 73:17 74:7 76:8 83:8,14 84:9 90:19 91:6 98:20 125:8 153:18 153:21 154:12 155:20 177:15 180:18 181:15 181:19 182:10 182:15 183:6 filings 137:5,20 fill 84:22 138:11 filter 42:2 final 163:8,8,13 163:15,19,21 164:3,4,5 165:14 166:18 finalized 90:19 finally 36:18 finance 24:3 27:11</p>	<p>financial 33:4 61:21 79:11 195:14 find 58:17 166:5 findings 132:13 134:12 finds 92:15 fine 14:12 17:6 87:7 132:13 140:16,18 162:21 fingers 135:4 finger tips 190:3 finish 103:5,9 199:8 finished 202:21 finishing 199:5 finite 117:18 118:2 firm 47:18 60:22 61:4,5,8 61:15 62:4,10 63:8 75:14,14 77:11,12,19 79:9,15 105:8 105:19 106:8 126:7 130:7 153:8 firms 61:11,12 61:19,22 79:16 84:15,17 91:5 129:9,16</p>	<p>first 11:10 12:4 19:13 23:3 29:16 53:3 55:21 57:2 85:4 109:14 115:10 122:13 127:7 128:5 151:17 172:11 173:6,8 181:14 192:17 207:16 firsthand 138:14 186:22 193:16 fit 150:12 fitting 63:15 five 19:6 65:8,9 108:17 130:22 134:20,21 135:3,9 199:4 199:9 fivefold 114:3 fixed 112:20 flags 118:20 172:12 flaw 111:17 113:5 flip 25:20 37:17 floor 3:5 6:13 6:19 floors 8:13 fly 71:11,17 focus 21:9 22:22 23:3 52:13,18 72:21 87:20 133:16</p>
---	--	--	--

<p>focused 125:19 157:6 focusing 82:18 148:16 163:6 fold 81:5 follow 84:22 103:4,8 104:12 115:16 following 41:15 188:21 follows 11:12 115:12 foot 111:11 footnote 190:6 footnotes 190:16,21,22 forced 206:10 forcing 107:3 forecast 45:17 46:1,15 47:3 79:7,8 90:7 157:19 169:13 180:2 forecasting 46:15,17 53:15 73:7 74:8 156:9 177:5 forecasts 169:11 foregoing 217:3 221:4 forgive 173:5 174:14 form 31:6 34:5 45:21 49:11</p>	<p>88:4 98:17 102:10 121:10 125:7,10,12,14 137:17 148:20 159:1 165:17 166:13 168:13 168:18 181:5 187:22 201:13 202:14 208:21 209:6,20 211:4 212:20 213:12 215:12 221:8 formally 151:11 format 31:4,16 56:20 83:15,16 170:14,15,19 170:22 177:20 177:21 178:6 178:18 181:20 190:12 forming 190:9 formula 75:12 75:16,19 formulas 74:13 77:18 114:19 formulation 53:6 forth 153:16,19 180:19 forward 105:3 115:5 122:3 156:7,8 160:7 183:1</p>	<p>found 49:15 166:20 173:20 foundational 167:21 four 7:15 198:22 fourth 27:7 28:18 fraction 48:21 84:16 214:9 framework 40:4 116:12 123:2 free 72:20 frequently 15:22 23:21 63:12 68:8 105:9 120:1 friday 20:11 friend 143:13 front 59:12 65:4 141:10 fulfill 103:19 172:1 full 85:5 121:1 122:19 128:21 173:14 204:16 fulsome 59:22 function 174:3 fundamental 39:9 67:15 107:15 116:15 117:9 118:10 126:10 128:2 130:8 179:4</p>	<p>fundamentally 98:5 127:8 161:19 funds 57:14 further 46:20 48:18 59:17 88:11 128:18 157:15,20 204:6,7 future 16:2 45:7,9 46:8,13 46:20 47:1 48:16 49:19 53:5 57:14 68:15 78:1 79:12 82:10 112:7,18,21 115:5 118:1 126:5 135:13 136:13 156:9 157:22 163:20 164:6 165:15 169:9,21 171:20 176:3,7 177:6 180:2 182:20 215:3</p>
g			
<p>g 11:2 175:10 gain 123:19 138:14 gaining 40:1 57:16 gallardo 106:15,16 107:5</p>			

<p>game 169:17 gamesmanship 122:7 garcia 106:15 106:16 107:5 garlock 42:9 49:14,21 50:11 50:15 53:17 54:1 55:7,13 58:19 129:12 129:13,15 130:14 131:13 132:6 134:8 137:5,13 158:4 158:6,10,16,21 159:4,7,13,19 159:20 160:4 160:15,16,20 160:21 195:21 gaskets 134:2 gateway 7:15 gauge 196:22 gender 76:11 76:14 105:20 106:8 131:16 131:18 182:22 general 8:20 26:6 28:5 34:17 48:8 79:6 98:4 143:6 209:5 generally 53:17 171:3 209:3 215:18,22</p>	<p>georgia 5:6 germane 16:1 171:18 getting 30:1,5 36:6 41:14 47:1 63:18 74:6 126:18 154:17 157:15 172:18 173:18 173:20 178:16 180:3 214:16 give 12:11 16:16 19:14 23:19 26:12,17 39:1,17 42:5 48:15 57:19 78:21 79:3 81:14 86:4 87:5 89:6,14 90:3,10 92:10 103:6 130:3 132:8 141:19 143:4,5 148:8 166:4 184:20 188:9 202:8 206:16 213:3 given 24:12 30:3,10 58:13 79:15 81:13 102:3 105:4 109:17 110:2 110:13 189:1 201:17 202:9 210:12 217:14 221:6</p>	<p>gives 46:10 114:22 189:6 go 24:16 34:13 40:20 42:5 43:2 45:5 46:20 48:1,18 48:19 55:16 65:11 69:22 70:1 76:9 77:11 89:10 92:19 93:13 97:11 99:6 105:3 106:18 111:8 114:8 119:5 126:3 128:16 144:8 156:13,16 159:3 161:4 162:9 166:7,15 167:15 171:22 172:8 173:1 175:19 183:1 184:11,20 196:13 199:2 199:13 200:1,6 203:14 209:4 goal 12:19 goes 35:22 36:6 59:17 70:1 89:21 125:17 126:9 151:18 156:17 179:4 186:8 196:1 203:16 211:11 211:19</p>	<p>going 12:4,11 13:4,15 14:8 15:1 17:1 21:14,16,17 22:4,4 23:22 29:10,12 30:5 30:9,20 31:6 36:20 39:1 40:22 41:5,8 42:4,19 49:10 50:13,13,20 55:16 57:20 58:17 60:7 61:6,9 62:6,7 62:11 63:9,19 64:1,7 74:21 75:2,16,17 76:1 77:21 80:16 81:10,16 81:17,21 82:14 83:8 84:7,8 86:2,4 87:15 87:15,17,17 89:11,22 91:17 94:19 95:17 96:11 97:2,6 97:13 102:17 102:18 103:1,4 103:6 104:9 105:1,3 106:10 106:21 107:21 110:5 112:9 115:4 117:15 121:21 122:3 126:7,14,19,21</p>
--	---	---	---

<p>126:22 127:6 127:15 131:9 132:15 133:12 133:14 135:11 136:12 137:16 141:22 142:3 142:18,22 143:2,15,20 144:6 147:19 152:20 153:3 153:10 154:4 156:19 157:8 158:19 160:10 162:7 164:20 165:1,4,6 167:6 169:17 172:18,22 175:16,17 176:8 182:19 183:4,7 188:3 188:9 195:9 196:3 199:15 199:17 201:20 202:6,7 204:4 206:2,21 207:13 212:13 214:4,13 good 11:22 22:7 33:21 37:1 59:19 94:3 120:4 135:7 140:17 144:13,14 173:19 196:17 207:7,11</p>	<p>goodness 71:12 goodrich 29:7 gotten 178:9 government 100:11 157:17 granting 52:5 great 140:1 greater 78:14 78:20 82:11 94:9 197:12 greatest 201:22 greatly 38:21 108:13 grier 4:3 8:22 ground 12:12 group 59:14 63:21 112:19 112:20 123:5 157:12 grouped 51:4 groups 45:18 46:2,4,10 63:6 63:10,11,12 105:21 106:1 115:4 119:11 126:6 133:10 guarantee 91:3 guerke 6:4 9:10 144:7,8,12,15 146:13,15 149:4 154:7 160:2 161:10 167:21 168:14 169:6 174:13 174:17,21</p>	<p>181:11 183:19 188:6 194:1 199:7,13 200:1 200:6,9 202:11 202:20 205:12 206:20 guess 24:2 80:1 144:1 guidance 57:13 81:14 89:15 90:4 92:8,17 143:6 202:8 guide 93:17</p> <hr/> <p>h</p> <hr/> <p>h 10:9 17:17 hack 100:9 hacked 100:4 hacks 98:17 half 20:9 61:6 69:18,19 71:9 108:16 113:21 162:20 halfway 103:7 hand 32:1 75:13 135:4 200:7 handful 61:12 61:14 109:16 127:5 hang 18:2 happen 39:20 115:22 123:3 happened 113:14</p>	<p>happening 157:9 happens 59:3,4 59:5 89:2 105:13 106:4 happy 103:3 hard 58:15 harmful 206:18 head 75:19 143:14 hear 54:9 94:22 95:1 102:18 heard 100:16 hearing 20:18 22:12,14 73:13 height 111:4 held 2:2 135:7 help 26:2 42:10 47:7 49:8 163:22 210:10 helpful 71:2 helps 46:13 47:9 henry 1:14 2:1 9:3 10:3 11:9 217:4 218:5 219:2 220:2,22 221:3 herrington 4:4 high 63:14 94:5 146:2,7 161:21 164:4,5 173:13 173:15 178:8 203:19</p>
--	--	---	---

<p>higher 57:13 69:22 70:15 83:18 89:3 91:12 125:1 165:3 181:22 182:8,10 184:15 highest 104:21 116:13 highlighting 134:8 highly 63:13 hinge 91:17 hire 138:9,15 hirst 7:5 historical 31:2 31:8,11 40:10 41:18,21 42:20 43:21 44:5 49:18 58:11 82:3 84:15 93:17 112:6,14 112:15,17 117:21,22 118:7 125:5 128:20 141:15 143:3 153:17 154:11 155:1,8 155:20 156:2,3 176:22 181:15 181:19 historically 72:10 125:21 155:20</p>	<p>history 45:22 49:14,17 130:3 170:9,11 178:20,22 180:3 203:13 hitrust 35:18 36:2 hodges 49:15 134:13 hogan 4:13,14 9:11 199:20 207:6,8 209:7 210:8 211:7 213:1,14 215:17 216:15 hold 14:8 home 111:8 hope 18:11 109:17 210:14 hopefully 14:16 109:22 houff 5:3 hour 20:8,8 162:20 hours 18:22 19:6 20:5 195:12 huge 118:20 human 23:6 94:14 hundreds 41:17,21 60:3 142:10 hypothetical 84:11 109:10</p>	<p>hypothetically 198:4 i idea 92:9 105:1 114:10 ideally 156:22 183:5 identical 50:10 139:15 179:20 183:14 192:7 196:16 identification 17:18 51:17 101:18 identified 29:16 185:6 identifier 185:12,21 identify 110:8 identifying 185:13 194:3,5 ignorance 118:16 ignorant 130:19 ignored 106:3 119:6,12 ignores 104:2 ignoring 115:6 127:9 198:2 illegal 161:15 illinois 7:7 imagine 194:15 impact 75:3 83:21 91:9</p>	<p>113:18 115:9 115:14,17,21 115:22 116:2,5 117:20 165:5 214:12,16 implicitly 76:5 important 47:3 49:4 61:5 71:3 93:19 105:10 120:6 121:3 129:1 157:7,9 importantly 13:10 46:12 imposing 93:20 impossibility 107:17 impossible 39:18 100:8 141:10 204:19 improperly 101:1 improve 73:5 74:7 121:11 improvement 74:11 improving 47:2 123:20 inappropriate 205:8,20 inches 111:4,11 incidents 156:10 include 169:8 194:9 197:5</p>
---	--	---	--

included 150:20 197:2	46:10 47:17 59:14 105:21	95:11 96:8,10 99:21 108:4	inputs 16:5 54:1 80:13
includes 167:15 169:10,11	119:10 126:6 129:4 133:9,12	109:1,4,8,21 112:16 117:13	81:9 163:14 insinuate 120:3
including 35:21	157:12 176:4	121:8 132:11	inspection 10:14 51:14
incomplete 120:19,22	177:4 180:1 182:21 206:6	143:21,22 146:20 150:2	instance 43:6 140:4
inconsistent 96:1 120:16	inexpensive 179:1	155:5 158:14 160:5,6 171:8	instructions 9:16
incorporate 171:15	infallible 98:10 98:12	171:9,12 172:1 174:4 175:6,10	instructs 13:6
incorrect 112:4	infeasible 117:9,17	176:16 177:22 178:21 179:3	insufficient 63:7 181:2
increase 97:7	141:19	180:6,10,13 181:13 182:1	insurance 23:20 24:8,18
increases 124:5	inference 110:18	183:11 185:3 186:12,18	25:2 28:1,14 29:9 34:5,10
increasing 97:5	inferences 112:6,17	189:10 193:7 194:4,5,10,13	insurers 25:4
incremental 96:8,22	146:22	204:1,11,15 209:2 213:22	intend 37:6,9 139:18 169:14
indemnity 28:19	inform 108:13 182:19	216:9,12	170:8 176:21
index 9:2 10:2	information 10:13 42:5,10	informative 42:19 136:12	intended 131:20 181:3
indicated 217:6	42:21 43:10,21 44:13 45:5	157:18	intending 110:15 164:14
indication 166:4	46:1 47:5,7 48:19 49:3,6	initial 44:10 142:15,16,17	177:2 intent 13:21
individual 135:19 136:10 137:8 149:17	49:16 50:4,7 51:13 56:11	142:20 143:17	41:7 91:2 104:6 171:17
individuals 44:22 159:5	58:10 62:7 68:12 70:7,13	initially 84:6 142:6	203:6 205:10
industrial 59:18 133:8	70:20 73:18,19 78:11 83:16,21	injury 1:4 inner 187:8	interact 124:6 interacts 81:1
industries 1:3 176:5 218:4 219:4 220:4	84:22 91:10 93:15,18 95:5	input 27:16,19 80:14,20	interest 47:21 48:9 76:20 106:5 114:18
industry 45:14 45:18,22 46:2			

<p>119:4,16 133:13 136:16 163:13 interested 77:4 217:16 interesting 123:1 intermediate 163:11 164:2 interrogatories 179:3 interrogatory 178:1 interrupt 127:16 143:16 198:20 202:22 interval 90:9 90:12 113:13 113:15 114:17 intervals 75:18 75:20 203:21 intimate 119:3 119:15 intrinsic 78:12 164:18 introduce 61:10 123:13 159:16 invoke 169:4 involve 24:9 76:10 185:4 involved 25:9 94:8 149:20 152:3 200:22 201:3</p>	<p>involvement 152:6 involves 33:10 iphones 71:13 irony 107:10 107:18 110:3 irreducible 123:14,20 124:1,4 irs 124:10,11 issue 14:21 15:1 22:3 32:2 49:3 55:7 58:22 102:9,20 103:12 123:9 153:22 161:17 161:18 163:7 164:10 194:19 194:22 205:7 205:15 issued 51:3 156:15 issues 132:4 152:9,14 160:11 issuing 194:22 item 57:2 138:6 itemized 131:21 ivory 121:18</p> <hr/> <p style="text-align: center;">j</p> <hr/> <p>j 10:17 101:17 jcw 1:5 jeanna 3:17</p>	<p>jersey 2:9,10 7:17 8:6 21:5 51:5 jkoski 3:21 job 1:22 173:19 jones 2:2 7:3 jonesday.com 7:9,10 jorge 106:15 joseph 4:3 8:22 jr 5:4 218:1 judge 14:11 49:15 86:1,4,7 134:13 137:4 137:13 judge's 23:4 88:15 judgment 206:3 jumping 21:20 june 20:18 158:18 jurisdiction 47:18 79:10,16 105:9,19 106:9 126:7 130:8 jurisdictions 48:11 59:5 79:17 justified 109:7 justifying 81:13 juxtapose 83:8</p>	<p style="text-align: center;">k</p> <hr/> <p>k 4:14 kaplan 8:4 9:9 11:17,21 12:1 15:4 17:4,7,21 18:11,13,14 20:22 21:11 22:6,9,19,20 23:15,17 27:9 29:13 38:10 50:1 51:6,19 51:22 52:6,10 52:11 53:10,13 54:18 64:13 65:7,16 69:8 88:13 95:7 100:13,18,20 101:11,21 102:2,15 103:10 127:19 127:20 129:7 130:21 131:7 132:17 138:17 139:21 140:16 140:19 143:8 144:5 153:3 162:15 kearney 6:18 kearneyb 6:22 keep 29:12 101:13 kept 127:15 kevin 6:4 144:15 153:3 198:19 205:4</p>
---	---	--	--

<p>key 49:14 81:9 105:20 185:20 185:21 kguerke 6:8 kidding 69:10 kin 217:15 kind 21:12 25:5 93:3,6 143:5 163:2 164:18 196:7 king 6:5 knew 117:11 130:17 208:18 208:19 209:14 210:17 214:18 214:19 know 12:10,14 13:7 14:18 18:4,22 21:2,5 23:19 24:17 31:9 34:17 35:16 36:19 41:12 51:20 54:2 58:6,17 59:12,15,22 60:5,6,8 61:19 61:21 62:14 63:9 66:15 69:5 75:2 76:1 77:16 78:16 82:1 85:18,22 87:6,15,16,18 89:21,22 91:21 93:12 95:22 96:4,5 97:12</p>	<p>97:21 99:8 101:21 102:15 102:19 105:2 105:21 106:12 107:6,7 108:11 108:22 109:3,4 109:15 111:9 111:22,22 113:15 114:9 114:13 115:4 116:20 117:4,6 117:11 118:14 118:15,19,21 120:3,14,15,17 121:1 127:10 129:18 130:22 134:9 135:18 137:14,22 141:8,18 142:7 143:1 144:22 146:1 148:17 152:22 153:9 154:2,3 165:20 167:5,7 168:1 169:12 178:15 180:13 181:14 184:5,13,14,15 184:19,22 185:1 187:3,6 187:7,11 188:10,20 192:9 194:7 195:17 196:20 196:21 198:12 199:1 200:13</p>	<p>209:17 210:1 210:17 knowable 109:15 knowing 13:21 59:21 85:8 123:19 knowledge 129:22 130:3 137:7,9 138:14 138:15 147:1 186:22 187:12 187:16,18 193:16 208:7 208:11,12 212:17,18 213:10 known 48:22 49:6 78:15 79:4 89:16 knows 107:13 108:8 120:14 koski 3:17 krepto 3:10</p> <hr/> <p style="text-align: center;">I</p> <hr/> <p>I 1:18 2:4 4:5 217:2,18 labor 31:17 lack 117:9 126:10 lacking 92:6 lag 151:16 lap 138:13 large 31:16 59:1 75:17</p>	<p>76:2 91:15 111:12 114:16 157:17 171:1 216:4 largely 110:21 212:11 larger 81:6 120:6,10 123:21,22 164:17 197:16 las 97:22 lastly 13:9 14:15 late 25:13 laughter 100:17 132:14 laurie 3:10 law 2:2 37:4 47:17 60:21 61:4,5,8,11,12 61:15,19,22 62:4,10 63:8 75:14,14 77:11 77:12,19 79:9 79:15,16 84:14 84:17 91:5 105:8,19 106:7 126:7 129:9,16 130:7 lawyer 36:21 140:1 205:8 lawyer's 205:10 lawyers 71:13</p>
--	--	---	---

<p>lay 137:7 layering 162:5 layers 99:5 layperson 130:17 lead 94:5 leading 141:13 learn 77:14 84:10 121:8 161:2 166:15 173:17 learning 117:2 leave 58:13 left 65:22 184:11 legal 21:22 37:3,7 129:2 164:19 167:10 167:14,17 168:6,15 169:7 169:16 188:1 218:22 legally 161:16 level 56:21 62:10,12 74:19 75:6 82:14 85:18 92:3,13 104:21 106:12 129:5 140:11 164:4,5 201:22 levels 45:19 97:11 liabilities 16:3 45:8</p>	<p>liability 46:12 53:4,22 54:3 55:2 56:2 57:4 68:7 76:11 79:7 129:2 136:6,13 163:9 164:19 167:10 167:14,17 168:6,15 169:8 169:9,16 215:3 liberty 152:11 likely 56:14 81:8 93:18 105:12 123:7 133:20 142:5,8 145:19 156:1 164:17 166:3,5 166:10,20 167:1,2 173:11 183:12 193:4 203:18 limit 207:14 limitation 43:17 limited 40:19 66:3 limits 94:22 linchpin 89:8 line 25:6 102:19,19 157:17 181:4 182:15 205:5 205:19 219:5,7 219:9,11,13,15 219:17,19,21</p>	<p>220:5,7,9,11,13 220:15,17 link 99:5,11 list 16:16 25:22 26:10,16 29:11 120:19,22 121:2,3 131:21 175:5 listen 33:12 lists 175:1 literally 33:10 116:5 litigating 121:16 162:8 litigation 8:20 25:4 34:21 119:21 121:6 122:2 123:7 212:5 little 15:15 20:12 34:12 42:10 49:13 55:8 69:15 74:22 94:12 120:4 122:17 133:16 149:19 166:14 197:21 198:12 199:1 live 202:5 livelitigation 1:20 2:14 217:21 livenote 2:7 lkrepto 3:14</p>	<p>llc 1:8,11 5:2,2 7:12 8:9 9:4 10:4 218:4 219:4 220:4 llp 3:3 4:4 6:3 6:11 7:13 8:3 8:10 logic 113:5 long 29:11 144:3 longer 48:13 114:2 126:8 156:14 158:15 look 24:16 30:22 31:9 32:3 44:14 48:12,13 50:12 51:20 54:22 63:6 67:19 72:17,20 76:12 77:22 79:15 82:17 101:14 105:18 106:6 116:19 141:22 157:1 174:18 176:21 181:7 189:4 195:21 196:14 198:6 198:11 209:15 looked 135:7 145:18 153:22 looking 26:16 30:19 38:1 43:10,16 70:13 71:13 76:5,19</p>
--	---	---	---

78:6 79:12 83:12 94:18 95:11 105:15 109:16 114:14 114:15 134:17 153:20 156:9 178:9 188:22 190:15 192:9 210:6 looks 140:1 lose 62:6 losing 204:14 loss 62:16 99:3 99:7,15 107:12 108:1 125:16 125:22 lost 64:17 lot 16:10 21:7 29:9 42:11 54:1 57:20 59:6 60:4 62:6 73:8 81:18 97:10 114:12 116:7 122:7 132:10 137:8 137:19 140:10 157:21 172:6 lots 59:18 121:13 127:10 louisiana 2:2 lovely 70:6 low 114:6 116:8 125:12 127:12 142:18	lowenstein 8:3 8:5 lowenstein.c... 8:8 lower 69:15,21 72:13 125:3 lying 12:9 <hr/> m <hr/> m 5:10 machine 217:11 made 71:5 103:21 105:5 134:7 151:4 158:12 168:17 191:9 204:13 206:3 214:7 mail 205:8 main 19:19 52:9 76:4 125:11 maintain 95:11 maisano 5:10 majority 79:20 172:18 make 16:20 18:3 31:7 41:6 41:8 45:11 60:11 65:9 68:7 77:9 78:1 78:13,19 86:12 99:17 127:6 131:14 134:22 146:9,11,21 160:12 179:16	179:21 193:10 193:14 200:4 206:10,11 211:9 213:18 214:4 makes 30:21 31:22 34:12 38:21 106:19 116:10 120:15 121:4 making 60:2 86:18 113:4 124:17 133:6 males 77:9 mallinckrodt 25:10 manner 58:22 59:22 manual 31:17 map 191:13 mapped 176:3 mapping 185:19 190:2 march 17:11 22:11 mark 17:1 51:6 101:11 marked 17:18 51:16 101:18 market 3:11 6:13,19 maryland 5:12 mass 15:21 24:6,9,11,14 94:8	massachusetts 3:6 match 115:5 180:14 185:6 matched 179:9 matches 185:17 matching 4:12 185:20 188:15 189:1 207:4,8 210:15 material 27:6 27:15,21 28:4 28:9,17 38:7 95:3 97:7,13 128:14 134:1 148:11 materially 62:5 62:8 83:18 91:12 97:5 181:22 198:15 math 71:11,17 90:8 92:5,14 92:14 113:8,20 174:10 196:7 mathematical 60:16 75:19 114:19 143:10 167:18 168:5,8 212:11 213:22 214:5 215:6 mathematically 92:2 122:1 mathematics 168:13 213:6,7
---	--	--	--

<p>matter 28:6,20 29:1,7 30:2,3 62:4 72:5 134:8 160:15 211:21 matters 45:15 63:17 76:14 81:4 maximum 173:3 mccarter 7:13 mccarter.com 7:19 mcdaniel 4:13 mdl 27:1 32:12 mean 14:1 19:13 20:20 29:22 32:18 36:3 39:9 80:4 80:4 82:6 92:14 95:22 97:15 98:1 101:3 137:2 139:10 146:7 146:13 147:22 149:19 151:13 153:10 154:13 163:16 169:14 195:11 196:5 202:22 207:22 meaning 139:18 means 14:3 34:6 81:10 123:21 181:20</p>	<p>meant 111:20 measuring 195:5 meat 37:17 mechanically 92:16 meet 20:2,5 meeting 20:11 members 19:9 men 112:10 merge 155:8 184:17,20 merged 184:3 merit 2:4 mesothelioma 42:12,14 45:10 56:1 58:8 66:11,14,22 67:3 68:19 69:13 70:8,8 70:10,13,14 71:4,6,8,22 72:13,16 87:21 106:7,8,9 133:19 145:17 145:21 146:10 147:12 148:4 148:12,16,22 150:11,12 151:4 156:4 203:12 met 12:1 methodologi... 122:13</p>	<p>methodology 122:12,15 mhirst 7:10 michael 5:4 8:4 12:1 21:15 94:19 127:18 140:22 218:1 middle 127:16 128:17 million 80:18 82:1 87:8,9,17 87:18,18 140:8 140:8,9 142:7 142:7,14,19,20 142:21 166:9 166:10 millions 33:10 33:12 60:3 81:16,19,22 82:3 142:6,9,9 165:10 mind 138:2 208:5 209:14 mine 14:2 50:9 100:14 107:6 minimal 189:2 minimize 46:21 86:12 113:18 122:5 127:11 minus 80:17,19 80:21,22 87:6 87:7,8,9 142:19,21 165:1</p>	<p>minutes 62:19 65:8,9 94:11 130:22 198:22 199:4,9,22 miscellaneous 1:4 mischaracteri... 106:14 misleading 110:20 111:2 misrepresent... 116:4 missed 79:22 96:20 109:12 172:22 missing 23:7 94:14 172:16 173:17,22 misstate 33:5 misunderstan... 116:15 118:11 128:2 mitigate 77:5 78:7,8,18 mix 115:2 133:8 206:6 mixes 157:12 mkaplan 8:8 model 81:9 83:21 91:8,22 91:22 92:16 157:3 168:5,9 168:11 212:11 213:22 214:5</p>
--	---	---	--

<p>modeling 15:21 215:3 models 168:8,9 modified 155:2 mogul 40:15 moment 52:20 59:11 68:18 86:1 98:7 152:15,18 157:16 172:5 180:17 monday 1:15 9:5 10:5 11:6 money 60:4 montana 28:20 month 206:19 months 121:15 morgan 7:5 morning 20:13 moskow 6:12 moskowb 6:16 motion 18:9 20:16 200:11 motivated 83:13 100:9 mouth 77:17 move 41:3 59:20 60:7 74:13 131:10 movement 74:16 moving 215:4 mulberry 7:16 mullin 1:14 2:1 9:3 10:3,10</p>	<p>11:9,22 17:17 18:1,16 22:2 22:10 38:2 52:14 65:17 102:4 107:11 111:19 119:3 128:11 131:8 144:13 206:21 207:7 217:4 218:5 219:2 220:2,22 221:3 mullin's 17:10 104:9 multiple 63:11 99:1 132:2,3 133:20 167:15 182:11 210:21 multiplicative 81:2 multiply 71:18 multitude 134:5 176:12 murray 5:2 42:12 43:18 69:15 135:17 135:22 136:8 136:11 147:22 149:10,10 153:13 155:22 159:13 175:21 191:17 197:5 murray's 136:13</p>	<p>n n 3:1 4:1 5:1 6:1,18 7:1 8:1 11:2 name 96:5 144:15 named 136:17 136:17 159:13 162:2,2 names 159:12 narrow 133:17 national 28:19 nationally 217:2 nature 81:3 175:19 185:11 196:17 215:15 nearly 183:13 necessarily 14:1 119:22 122:16 necessary 33:14 91:7 need 14:15 40:9 42:1,5 45:2,4 48:1,12,14 59:1,6,9 61:20 64:20 69:17 77:18,21 78:11 79:9 85:10 88:22 91:5 92:10 97:12 103:5 105:14 107:16 112:21 114:18 115:3</p>	<p>115:18 116:7 117:12 118:4 118:15 119:10 119:11 122:3 123:16 125:7,9 125:17 133:9 133:14 149:18 156:19 157:13 161:4 175:14 179:7 181:8,10 181:14 182:9 needed 53:14 61:15 67:4 91:4 126:1 155:4 193:7 207:17 209:9 213:17 needs 37:19 47:5 103:19,20 111:19,21 120:12,18 121:1 128:7 negotiated 177:9 negotiation 53:6 204:3 neither 30:8 149:15 150:15 217:15 nervous 80:12 never 59:3 96:6 99:3,7,14 103:20,21 111:20 113:14 116:6,7 136:11</p>
---	--	---	--

<p>137:21 162:2 new 2:9,10,11 2:12 7:17 8:6 8:14,14 21:5 51:5 72:6 123:13 newark 7:17 newco 28:21 nfl 111:1 nine 108:17 ninety 108:17 108:17 nj 1:19,19 217:19,19 nods 122:17 non 8:2 11:19 41:12 nondisclosed 115:11 nondisclosure 115:9 nonelectronic 125:1 nonmesotheli... 66:20 70:20 150:17 nonparties 137:12 nonparty 12:2 nonpublic 158:10 nonrandom 159:14,18 north 1:1 6:5 6:13 7:6 72:11</p>	<p>northeast 5:5 northwest 2:3 3:18 4:7 notary 1:21 2:15 221:22 note 205:17,18 218:10 noted 221:9 notice 217:5 november 200:10 number 10:8,9 10:12,17 17:16 46:8,15,18 51:11 63:15 68:15 69:21 73:12,14 79:4 80:3 85:22 86:5,6 88:2 89:1 92:15 96:5 97:13 101:16 126:4 126:14,15 138:1 139:4 140:22 141:20 143:5 145:19 146:5 147:16 147:20 148:3 148:11 157:22 161:1 163:11 165:3,15 166:18,21,22 173:3 176:3,7 180:2 184:16 185:1 195:10</p>	<p>196:2 206:8 numbers 25:21 69:1 87:3 109:14 140:10 164:19 213:5 numerical 213:4 numerous 26:9 131:19 142:11 161:14 ny 218:15 nyacr 1:19 217:19 nyrcr 1:19 217:19</p> <hr/> <p style="text-align: center;">o</p> <hr/> <p>o 11:2 object 13:4 49:10 64:8 88:4 94:21 102:10 137:16 148:20 153:4 158:22 168:18 181:5 187:21 201:13 202:14 205:3,5 208:21 209:20 211:4 212:20 213:12 215:12 objection 160:8 193:19 205:18 objectors 25:15 objects 10:13 51:13</p>	<p>obligation 96:13 172:2 observations 114:11 observe 91:19 obvious 130:12 136:15 138:4,4 194:22 obviously 14:19 26:13 occupation 47:17 59:14 129:4 133:12 176:4 182:21 206:6 occupational 45:14,18,22 46:2,10 59:16 63:6 105:21 119:10 126:6 133:7,10 157:12 177:5 occupations 128:19 180:1 occurred 116:8 150:9 occurring 85:5 occurs 108:11 116:6 ocean 42:17 odds 45:15 89:7,13,20 90:1,3 92:18 127:11</p>
--	--	---	--

<p>offer 32:21 33:18 37:3,6,7 37:9,11 88:1 95:17 189:10 offered 15:14 23:11 26:4,10 26:18 32:5 33:1,2,5 35:11 offering 36:9 187:19 188:1,5 188:12 office 107:5 offices 2:2 oh 108:11 111:10 okay 13:9 15:15 16:12,15 16:18 17:1,22 18:13,18,22 19:3,7,11,16,22 20:5,10 21:12 22:21 23:8,9 23:16 24:10,13 24:18 25:9,17 25:20 26:8 27:2 29:21 32:10 33:3,9 33:21 34:8,12 35:2 36:8,18 37:15 38:11,15 39:6 40:5 41:16 43:4,9 43:15 47:4 48:17 50:5,22 52:17 53:19</p>	<p>54:6,17 55:14 55:20 57:3 60:20 62:17 63:2 64:14 65:7,21 66:9 66:13,19 67:6 67:13 68:17 70:11 73:20 85:12,22 87:10 88:14 92:21 94:10 98:13 99:16 100:2,14 103:15 124:19 133:22 134:7 135:5,6 136:1 138:5,18 139:13 140:16 141:2 143:9 144:5 168:1 170:12 190:7 208:9,15 209:8 210:13 211:1 215:5,18 216:3 once 93:12 105:16 108:20 188:15 197:20 198:2 ones 44:19 67:16,17 81:2 84:8 95:12 129:17 146:19 170:21 193:7 198:7 204:6,7 204:8</p>	<p>ooo 9:1,6 10:1 10:6 11:1,3,5,7 11:8,13,18,20 17:15,20 51:10 51:18 54:12,16 65:12,15 101:15,20 131:3,6 144:9 144:11 207:2,5 open 103:3 operation 31:12 opining 86:7 opinion 23:11 24:14 26:4,13 26:18 32:5,14 32:17,20 33:1 33:2,5,18 34:19 35:3,12 37:4,8,11 38:3 38:15,18 39:7 50:11 56:3 57:5,20,21 86:5 95:18 102:9 103:17 107:7 137:15 163:15 164:5 187:19 188:2 188:12,21 189:10 opinions 16:21 24:12 26:10 30:1 31:20 32:8 48:15 105:13,15</p>	<p>123:2 163:13 164:4 167:7 188:8,13 190:9 191:11,14 opioid 25:18 opponent 29:18 opportunity 102:18 opposed 31:7 85:1 114:7 123:8 157:15 159:21 164:1 175:22 opposite 64:12 107:22 111:16 113:2 optimal 202:9 optimistic 91:3 option 13:8 order 52:4,13 52:16,19 79:1 106:20 165:10 196:4,8 197:17 206:17 ordered 174:5 orders 161:15 202:3 oregon 1:20 2:13 217:20 organization 99:12 organized 190:16 199:4 originally 26:22</p>
--	---	---	---

<p>orrick 4:4 orrick.com 4:10 outcome 50:15 114:6,16 217:16 outcomes 30:13 outside 26:4 34:10 95:14,16 104:16 121:20 146:21 outweigh 38:21 38:22 overall 115:14 overarching 106:13 overgeneralize 134:3 overlap 21:7 44:17,18 182:14 183:4 184:1,10,14 193:4,10 197:15 198:2 198:13,14 overlapped 198:5,10 overlapping 183:13 overlaps 180:6 overlay 215:9 oversampling 94:4 own 96:13 184:22</p>	<p style="text-align: center;">p</p> <p>p 3:1,1 4:1,1 5:1,1 6:1,1 7:1 7:1 8:1,1 11:2 p.m. 1:15 2:16 11:6 65:14,14 131:5,5 199:8 216:22 page 9:8 10:8 25:20,21 27:8 28:7,12,19 29:2,8 34:14 52:1 88:20 90:6 219:5,7,9 219:11,13,15 219:17,19,21 220:5,7,9,11,13 220:15,17 pages 29:17 221:4 paid 47:1,16 61:1,13 63:13 67:17,18,20 68:1,2 106:7,8 106:8 118:9,9 125:21 149:8 149:11,14,16 150:8,15,16 179:13 195:13 paperwork 151:18 paragraph 38:3,9,13 52:18 72:18 73:2 82:17</p>	<p>83:19 85:4,7 91:9,14 92:21 94:22 95:6 102:14 103:14 106:16 110:5 110:21 111:17 112:3 114:1 115:8 117:5,19 119:1,6,8,8,9 119:19 120:11 121:4 122:8 123:11 124:9 124:10 125:15 126:13 128:5,5 128:16,17 129:14 131:12 132:19 162:13 162:19 164:9 165:17 175:1,8 183:9,17 185:2 185:7 186:9 191:15 199:21 207:13,15 214:13,15,16 214:22 215:4 paragraphs 38:3 48:21 49:2 95:5,8,17 113:8 119:17 176:19 parameter 81:4 116:20 119:4 parameters 119:2,4,15 133:14 155:2</p>	<p>165:7 pardon 211:17 part 21:19 35:19 54:15 55:13 95:13 102:8 110:1 126:12 156:21 175:10 183:15 190:13 191:5 191:22 204:3 210:1 partial 83:21 91:9 participated 33:16 particular 25:19 47:13 59:15 68:10 128:16 133:7 133:19 138:6 182:20 210:15 particularly 79:7 97:7 122:11 124:19 141:16 152:13 157:7 192:8 parties 2:17 30:2 41:20 98:1,4 121:16 137:11 138:8 164:11 184:19 200:21 204:1 partner 106:15 parts 102:8</p>
---	---	--	--

<p>party 8:2 11:19 41:12 100:9 110:13 137:22 158:6 217:15 pass 96:12 131:9 172:11 172:13,13 173:6,7,8,10,11 173:14,17,22 174:3 206:21 passes 39:5 past 54:22 94:7 99:19 172:10 pattern 63:16 149:8 patterns 83:20 pause 15:3 174:12,14 pavlick 7:14 payment 67:21 90:21 150:9,11 151:5 peachtree 5:5 peeling 48:5 penalty 12:22 217:9 221:7 pending 46:14 47:2 117:22 135:13 159:8 161:1,3,5 163:20 169:20 pennsylvania 3:12 6:20 penultimate 29:7</p>	<p>people 16:15 33:12 44:2 45:9 63:15 72:15 96:4 97:2,13 98:21 98:22 105:22 118:3,5 126:13 175:18 people's 97:1 percent 15:9,9 15:10,11 26:22 31:3 32:11 35:8 38:4,16 40:6,13,16,18 40:21,21,21 41:1,3,5,6,6,14 41:14,15 42:11 42:20 43:2,3,3 43:12,12,13 44:4,7 56:3,6 56:17 57:5,10 58:3,4,11 60:13,14 61:1 61:2 62:6 63:3 63:18,20 64:15 64:20 65:2,2,3 65:5 66:3,5 69:15,16,19 70:12,12 71:10 71:15,18,20,21 72:1 76:13,16 76:17 77:1,8 78:3 79:1 80:5 80:5,6 81:8,22 84:4,15,18,21</p>	<p>95:18 96:18,19 96:20 100:22 101:4 103:18 104:3,6,10,10 104:14 106:20 107:19 108:17 108:17 109:10 109:11,12 110:14 113:10 113:11 114:4,4 114:12 126:20 129:17,20 140:4,5 151:6 155:13,14,17 165:1 170:10 171:7,8 173:8 173:9,18,21,22 174:5,7 179:19 180:12,14,21 180:22 182:13 182:14,17 184:9,11,14 200:15,18 201:19,19 percent's 65:1 percentage 59:20 60:1,4 64:5 70:15 72:5,7 113:12 114:1 155:11 164:21 184:10 perfect 196:22 perform 57:9 89:14</p>	<p>performed 204:22 performing 167:10 period 61:2,13 204:2 perjury 12:22 217:9 221:8 permit 10:14 51:13 permitted 55:14,18,21 person 27:18 108:21 130:11 130:19 206:1 personal 1:4 152:6 187:12 187:15,18 194:3,5 personally 130:1 151:20 151:21 152:20 172:4 perspective 21:22 178:12 195:11 pertinent 54:14 peter 8:19 petition 46:17 46:19 151:14 ph.d. 1:14 2:1 9:3 10:3,10,18 11:9 17:18 101:18 217:4 218:5 219:2</p>
---	--	--	--

<p>220:2,22 221:3 phase 122:7 phi 192:11 philadelphia 3:12 6:20 100:19 philips 34:15 phillip 7:14 phillips 3:4 phishing 98:18 phone 18:4 33:11,13 143:13 phrase 55:3,9 92:3 213:18 pick 178:7 picks 94:22 picture 175:18 piece 47:6 96:22 193:3 pii 23:7 94:14 95:9,19 96:3,3 96:7,7,19,22 97:1,4,14 98:4 98:5 108:6 109:16 126:14 172:2 173:4 192:11 194:16 194:21 pipefitters 48:10 place 3:5 25:14 40:8 63:16,17 74:11 81:7 95:9 117:14</p>	<p>120:8 122:13 193:1 217:6 places 31:20,21 60:18 104:13 124:6 147:1 plaintiff 3:2 4:2 142:2 plaintiff's 47:14 plaintiffs 1:6 49:20 58:20 120:2 164:15 165:5 plan 25:15 53:7 54:4,4 62:21 66:22 137:5,13 planning 20:17 play 139:22 197:9 please 14:17 139:20 plus 43:20 44:18 80:17,19 80:21,22 87:6 87:7,8,9 142:19,21 165:1 point 20:1 26:7 38:2 62:18 85:10 86:17 95:1,16 104:1 107:4,11 109:21 113:3 124:17 125:3 125:11 134:8</p>	<p>142:4 160:18 165:20,22 166:8 178:13 182:4 186:6 189:16 190:4 191:4 195:8 203:14 208:10 208:16 209:13 209:16 210:4 pointed 131:12 points 59:20 60:1,4 113:12 114:1 181:8 184:10 policyholders 25:1 poor 13:18 populated 171:13 population 48:7 75:11,16 76:7,10 85:13 85:18 110:8 114:21 115:5 121:19,20,21 133:11 141:15 150:20 151:2 155:12 156:10 183:13 populations 136:15 148:10 position 47:1 58:13 194:14 positions 24:5</p>	<p>positive 90:21 151:5 possess 43:11 44:12 98:4 possesses 96:3 possession 7:2 possibility 127:13 possible 168:22 post 46:16,19 49:21 93:12 121:10 160:20 potential 107:12 112:5 115:9 132:3 134:21 162:7 potentially 13:4 29:17 43:11 50:18 59:7 100:9 125:13 160:12 182:21 206:2 ppavlick 7:19 practical 125:16,22 practice 114:10 preceded 22:12 precise 30:1 39:2,17 46:7 46:11 57:21 58:3 60:17 62:1,8,9 64:21 76:1 87:16 109:9 116:1 141:19 143:4</p>
--	---	---	---

<p>148:8 149:21 165:13 202:7 precisely 119:3 precision 15:13 16:7 39:18 57:15,17 58:1 58:14 60:1,13 62:5 63:17 74:11,15 75:7 81:14 85:11 86:21,22 90:10 92:3,6,11,13 94:5 123:16,19 123:20 162:14 162:16,18 163:3,11 164:8 predate 148:4 predecessor 148:1 predicate 170:2 preexisting 32:12 premise 112:3 premises 10:14 51:14 prepare 18:20 19:14 prepared 88:1 202:12 203:22 preparing 16:13 19:1,4 21:12 201:3 prepetition 44:10 55:22</p>	<p>prescribed 32:20 present 2:16 8:18 22:11,14 74:21 163:17 164:6 165:15 166:2,3,20 167:7 204:2 205:1 presented 165:18,22 president 8:20 prespecified 121:19 presumably 109:2 pretty 36:20 212:9 previously 23:11 36:16 73:9 217:6 principal 23:22 33:18 principally 19:18 principle 39:10 printed 101:22 prior 41:20 98:15 137:5 156:2 159:7,20 162:10 184:12 privacy 35:12 35:15 36:10,13 36:16</p>	<p>privilege 14:20 14:21 pro 30:8 probabilities 116:8 probability 89:3,16 91:13 113:17 203:20 probably 16:1 16:9 19:6 22:17 24:4 38:12 46:12 55:9,12 57:7 61:8 70:3 77:14,16 86:3 87:7 99:1 111:8 136:15 142:3 163:6 165:10 166:20 171:15 172:21 173:18,21 197:17 199:22 208:7 problem 14:5 17:5 53:18 54:1 63:19 64:9 68:8 106:13 112:5 115:19 132:18 problems 121:22 123:5,7 procedure 37:8 37:12 procedures 62:21</p>	<p>proceeding 1:4 10:16 32:13 51:16 163:9 proceedings 165:19 221:6 process 16:2,5 16:17 18:19 30:4 45:9 47:8 47:10 50:21 67:5 68:11 85:4 86:16 95:9 97:8 108:15 109:2 109:18 115:7 121:8 122:5 130:6,20 146:4 148:7 166:16 168:16 173:1 177:1 182:2 185:13,15,17 186:7,13,17 187:4 191:17 191:19 193:2,6 193:17 201:7 processes 70:22 193:1 processing 144:17 produce 10:12 51:12 92:15 97:13 102:17 125:6,12 148:11 183:6 produced 31:11 42:8,9</p>
--	---	---	---

88:22 104:4,7 161:12 171:14 172:1,21 174:7 183:10 186:18 193:6 produces 94:9 171:7,10 producing 57:18 83:14 170:7 177:18 186:14 product 8:20 161:19 201:7 production 56:19 88:10 191:17 193:6 195:6 197:12 products 134:6 212:2 214:17 professional 2:5 proffer 15:7 proffered 15:6 36:16 progress 160:12 prohibitive 33:12 projecting 68:15 176:3 projections 78:1 promise 14:7 promised 101:10	proper 111:10 111:14 121:16 properly 204:18 206:14 properties 16:6 proponent 29:18 proportion 75:11,14 82:20 90:14 115:11 125:20 133:5 141:14 146:2,7 146:11 proposed 38:4 93:4,7 95:18 159:2 200:20 201:10 203:2 203:10 propounded 221:7 proprietary 99:20 protect 46:13 protection 27:11 33:4 protocol 200:21 protocols 192:9 192:10,10,13 193:1,14 provide 56:1 73:5 92:17 203:11 provided 10:7 27:6,15,21	28:4,9,17 38:7 95:3 128:14 144:1 provides 45:21 207:17 providing 163:14 166:17 177:14 prudent 40:17 public 1:21 2:15 26:21 34:16 35:7,10 153:11,14,19 154:2,6 158:5 158:15 189:5 221:22 publicly 26:7 26:14,15 pull 171:17 179:3 pump 1:8,11 5:2 9:4 10:4 154:10 155:21 218:4 219:4 220:4 pure 121:18 196:19,21 purpose 32:15 55:21 63:1,4 83:4,6 84:5 110:10,11 181:4 204:11 purposes 38:17 40:7 47:11 53:21 55:14,18	67:2 69:3 72:19 78:5 86:8 88:18 111:18 136:2 158:20 160:4 161:11 182:12 182:13 201:12 pursuant 217:5 push 113:17 put 32:3 75:9 77:17 80:3 97:9,10 104:21 105:22 189:7 193:1 puts 123:11 putting 112:1 206:13
q			
quadruple 74:14 quadrupling 138:20 qualification 148:8 qualifications 188:11 qualified 37:3 37:7,11 qualifier 103:22 quality 73:6 108:15 109:2 173:1,12,16 quantifiable 59:11 60:11			

<p>quantification 213:4</p> <p>quantified 59:10 84:8</p> <p>quantify 39:4 58:2,16 60:16 74:6 75:6 78:22 80:2,4 81:21 82:19 90:14 107:11 107:21 108:21 110:4 146:6 206:8</p> <p>quantifying 107:20 108:1 173:2</p> <p>quarters 28:6 184:7</p> <p>quash 200:12</p> <p>query 186:2,4,6 188:17 192:7,7</p> <p>question 13:3 13:10,13,16,20 13:22 14:5,20 15:15 21:21 23:4 32:1 37:4 37:5,10 41:7 44:17 47:21 48:3,6 49:11 50:9,20 53:9 55:20 57:15 58:19 59:2 67:15 70:17 75:8,10 76:2,6 77:10 83:11</p>	<p>85:7 86:11 87:2 88:5,9 91:18 94:12 95:1 102:11 103:3 104:3 106:5 108:13 110:11 118:22 132:16 137:17 139:14,20 140:2,7 141:19 143:7,9 146:12 146:13,16,17 148:21 159:1 162:17 163:1 167:22 168:19 171:19 181:6 186:4,21 188:1 201:14 202:15 202:16,19 204:10 205:22 208:22 209:21 211:5 212:21 213:13,16,16 213:20 214:8 214:20 215:1,4 215:13</p> <p>questioning 64:18 205:5,19</p> <p>questions 22:8 23:1,2,2 39:2 39:12 40:3 47:20 71:2 76:9 93:2,19 103:5 104:2,5 104:9 106:11</p>	<p>127:21 131:9 132:1 140:20 144:6,21 149:3 149:21 157:5 157:22 159:17 162:10,15 168:5 171:5,5 174:15 176:18 178:11 199:21 200:7 203:17 203:19 204:6 204:16 207:14 216:5 221:7</p> <p>quickly 46:4 106:10</p> <p>quite 64:11,22 75:21 111:2</p> <hr/> <p style="text-align: center;">r</p> <hr/> <p>r 3:1,4 4:1 5:1 6:1 7:1,5 8:1 11:2 217:1 219:1,1 220:1 220:1</p> <p>races 14:14</p> <p>ran 113:20</p> <p>random 93:8 94:2 103:17,18 200:21 201:4 203:2,11</p> <p>range 57:11 77:20 87:11 128:22 143:1,3 145:19 165:17 166:2,3,5,10,11 167:1,2,4</p>	<p>215:20,22</p> <p>rate 69:19 109:3 172:13 172:15,19 173:2,13,15 174:1,3</p> <p>rather 206:4,5</p> <p>ratio 112:10 214:10</p> <p>rc.com 3:8,14</p> <p>reach 112:1 119:17 157:14</p> <p>reaches 107:18</p> <p>reaching 107:7 107:22 157:20</p> <p>read 54:14 106:19 107:8 147:15 191:4 191:22 205:22 212:8 218:9 221:4</p> <p>reading 119:17 138:5</p> <p>ready 51:21 73:1 103:11</p> <p>real 2:5,7 116:4 127:4 168:10</p> <p>reality 30:6</p> <p>really 15:8,10 16:8 21:8 23:1 29:20 30:7 34:9,19 39:11 42:22 45:17 46:9,22 48:6 48:21 69:20</p>
--	--	---	--

77:21 83:13 85:3,4 86:10 87:10 91:17 94:3 102:12 106:9 109:16 111:1 113:5,12 114:20 115:3 116:9,14 119:9 124:8 125:22 126:18 138:6 157:9 164:14 172:7,17 194:17 214:13 realtime 2:10 2:11 reason 47:4 65:19 157:14 186:21 218:11 219:5,7,9,11,13 219:15,17,19 219:21 220:5,7 220:9,11,13,15 220:17 reasonable 64:2 103:19,20 104:18 105:6 111:19,21 120:12,18 121:1 128:6 reasonableness 25:16 reasons 48:18 94:3 rebuttal 19:15 102:17	recall 24:13 35:5 53:11 128:8 191:6 receipt 218:17 receive 46:9,18 received 43:19 44:6,20 46:16 58:12 64:19 79:8 164:16 receives 184:6 receiving 184:9 recent 157:8 204:5,8 recently 157:10 recess 65:13 131:4 recipient 175:3 recognize 17:22 18:15 recollection 26:3 28:10 35:4 145:14 reconciliation 146:3,4 148:7 185:17 reconsideration 18:10 20:16 record 12:1 54:15 65:11 69:3 72:19 129:12,13 130:15 143:21 153:11,14,20 154:3 160:16 171:14,15	195:17 196:4,6 205:18 217:14 recorded 217:10 records 34:22 73:16 75:15 108:11,12,12 109:1,17 125:1 125:2 185:20 193:5,18 209:4 red 118:20 redact 96:13 126:17,17 172:2 193:18 redacted 96:14 96:15,19,21 108:4 193:8,9 redacting 96:12 193:3 redaction 97:11 108:15 186:3,7,13,17 187:4 189:3 193:9 198:5 redo 193:9 reduce 23:6 88:11 94:13 95:19 124:4 168:4 198:15 212:13 213:4 213:22 reduced 155:6 168:7 212:16 reducing 124:5 213:9	reduction 126:20 refer 53:17 144:22 reference 129:11 133:6 167:9 189:22 193:5 referenced 162:18 192:4 218:6 referencing 164:8 165:16 referred 85:13 144:17 referring 85:16 163:13,18 177:7,9 192:20 refine 82:10 refined 33:2 reflect 16:21 refresh 26:2 regard 25:18 146:18 154:11 154:13 160:13 214:3 regardless 25:7 registered 2:4,5 regular 107:5 regularly 130:11 rehash 129:13 reinsurance 25:3,4
---	--	--	---

<p>related 39:7 53:5 158:1 160:16 175:11 175:15 176:15 190:9 relates 190:20 212:17 relation 24:11 147:17 relational 188:14,17,19 relationship 128:18 relative 46:13 46:17 62:16 74:10,16 75:3 88:10 97:14 relatively 25:13 63:14 122:1 170:7 178:8,22 185:4 released 118:9 relevant 68:3 68:15 70:22 71:3 85:7 116:11 131:20 135:21 167:20 176:1 194:17 195:8 reliability 87:1 91:22 reliable 48:15 55:11 56:1 80:5,6,6,8 86:2 86:8 92:18</p>	<p>93:10,11 157:19 203:11 203:14 reliably 39:4 206:8 rely 50:18 76:1 92:11 156:1,10 189:14 190:1 relying 20:17 22:1 189:10 190:8,11,14 remaining 173:4 remember 66:6 146:5 remembering 176:19 remix 115:4 remote 1:19,20 2:14 97:9 217:21 remotely 217:7 221:6 remove 192:11 render 204:17 rendered 200:20 reorganization 62:22 67:1 reorganized 212:2 repeat 53:9 54:8,10 132:16 repeatedly 118:16</p>	<p>rephrase 13:12 15:16 213:19 report 10:17 19:15,18,20 85:17 101:10 101:17 102:17 104:2,20 105:4 106:13,19 107:8 110:2 119:7,13,17 131:15 132:8 132:10 136:20 136:21 137:2 188:8,13 189:13,20 190:1 206:3 reported 1:18 reporter 1:20 1:20 2:4,5,6,6,7 2:7,8,9,10,11 2:12,14,15 9:15 10:7 217:3,21,21 reporting 61:21 79:11 reports 16:19 33:20 44:14 represent 12:2 41:11,12 43:6 84:15,18 144:16 representative 4:2 8:22 119:22 162:6 203:4,7</p>	<p>represented 84:11,12 129:15,16 request 40:11 41:4 42:3 44:1 44:3 58:7,9 64:19 65:2,5 70:9 86:12 96:4 108:4 146:20,21 150:18 175:9 194:3 requested 41:20 147:18 171:8,9 175:2 175:5 177:13 216:13 requesting 104:4 155:7 requests 41:19 42:17 175:10 191:21 required 122:9 186:18 requires 83:10 requiring 159:19 reread 35:6 reserving 57:14 resides 190:12 resolution 30:6 47:8,10 53:16 67:5 70:22 161:6,9</p>
--	---	--	---

<p>resolve 30:2 resolved 14:22 60:22 151:3 159:7,11,20 160:22 resource 124:14,22 resources 124:12 respect 30:13 55:21 57:3 58:1 154:10 respective 2:17 respond 196:11 196:15 responding 187:12,20 191:20 response 13:3 21:4,13 22:5 128:4 183:13 186:18 responsive 146:17 rest 165:8 result 90:21 92:3 99:12 resulted 42:13 results 156:6 retained 24:20 25:1,2,3,13 151:12 retention 151:15</p>	<p>retrieving 185:3 186:11 retrocession 25:5 return 123:22 124:5 218:13 218:16 revealed 49:16 56:10 58:21 119:12 129:19 157:6 158:2 revealing 50:3 50:4 reverses 113:3 review 16:20 27:15,21 28:4 28:9,17 124:21 171:9,13 172:1 172:5,6,11 173:8,9 179:2 182:5 186:7,17 187:4 192:8,11 193:17 195:18 197:21 198:16 218:7 reviewed 19:15 31:12 191:1 196:3 reviewing 83:14 174:6 179:1 190:19 193:2 reviews 27:5 38:6 95:2 128:13</p>	<p>rich 45:21 richard 189:14 189:18,18 190:8 191:2 rickards 3:17 right 12:18,20 13:7 14:10 15:2 18:12 22:7,13,22 23:10 32:14 35:14 36:15 37:1,4,16,22 38:1 42:6 49:5 52:8,12 53:2 53:20 57:16 65:7,17 68:21 69:2 71:9,14 72:12 74:5 78:7 84:13 88:7 89:6,9 99:2 100:7 101:9 111:5,8 114:20 116:20 117:12 121:21 130:21 131:22 132:7 133:5 134:22 146:12 149:1,15,16 150:10 151:8 154:10 158:17 165:9 169:8 172:16 174:8 174:10 176:19 177:10 180:15 180:16 181:4</p>	<p>181:16 186:5 194:5,19 195:3 195:19 196:12 197:3,9 200:16 202:2,5 204:2 205:2 212:12 216:15,17 rise 90:4 risk 23:6 57:13 59:12 78:8,10 78:14,18,20,22 80:3 89:19 94:13 95:19 96:7 97:5 98:6 126:14 127:4,8 127:9 206:4,5 206:13 riskier 89:20 risking 204:15 risks 88:10 rmr 1:18 217:18 road 5:5 15:14 robinson 3:3 romanette 163:3 room 17:13,14 71:13 121:13 root 74:13,16 168:22 roseland 8:6 rough 195:20 197:17 roughly 145:6 145:16 147:18</p>
---	--	--	---

<p>152:2 197:2,5 round 22:12 route 160:12 routinely 130:9 rpr 1:18 217:18 rsa 1:19 217:19 ruled 200:11 rules 12:12 37:8,12 ruling 200:13 200:16 205:13 run 59:15 192:7 runs 38:12 russian 100:10</p>	<p>74:14,14,18 75:17 76:16 77:1,2 78:3,4,9 78:9,13,20 79:1,18 80:4 81:22 83:3,7 84:4 86:19,20 88:22 90:2,19 92:19 93:3,7,9 93:14,16,22 94:2 95:18 103:17,18 104:7,10,10,14 106:4,10,22,22 107:19 110:6,9 110:13 111:3 112:14,19 114:11 116:12 116:19 117:7 117:12,18 118:2,4,15,15 120:6,10 121:7 123:4,22 125:7 125:9 126:3 140:13 141:17 143:18 153:17 154:22 156:5 156:13 159:1 162:6 163:14 170:4,10,16,22 171:5 174:5,6 177:2,8 179:8 179:14,19,20 179:21 180:12 180:14,14,18</p>	<p>181:13,15,21 182:14 183:5,7 183:8 198:17 200:15,18 201:4,10,21 202:12 203:2,4 203:9,11,22 205:16 sampled 75:15 121:21 samples 116:13 153:21 156:2 156:10 180:21 181:4 sampling 23:4 23:6 24:2,5,12 24:14 25:7 26:4,11,19 27:17 28:1,7 28:11,22 29:3 29:6,8,19 30:4 30:8,9,14 31:8 31:13 32:6 33:6,6,15 35:3 35:4 39:12 40:2,4 61:2 78:12 81:7 93:1,8 94:13 106:17 112:5 121:7 122:22 122:22 123:14 123:17,21 124:15 125:17 141:12 152:16 153:1 154:9,16</p>	<p>155:19,21 167:20 170:1,3 200:21 sandler 8:3 saying 13:5 31:3,5 43:1,2 48:9 59:8 65:1 72:7 73:21 104:15 108:6 111:18 114:4 116:19 127:1,7 138:3 139:10 186:9 says 94:20,21 103:16 111:20 119:1 122:19 124:13 125:15 scenario 81:15 99:18 165:22 166:19,22 169:5 scenarios 114:15 schnoll 6:12 scope 32:17 67:3 104:17 195:15 scor 28:5 screenings 127:6 scrub 95:9 sdny 35:9 sebo 1:18 2:4 217:2,18</p>
s			
<p>s 3:1 4:1 5:1 6:1 7:1,14 8:1 11:2 sake 40:20 salient 50:14 50:16 163:7 sample 15:9,10 15:10 23:12 27:1,3,4,13 31:4,18,21 32:9,12,15 33:8 34:1 38:4 38:16,20 39:10 39:11 40:6 47:22 48:2 56:3,6,13,17 57:5,10 58:4 59:2 60:14 61:1,2,5 63:3 63:18 64:5</p>			

[second - showed]

Page 46

<p>second 18:2 23:5 29:14 47:6 55:3 57:22 94:12 110:5 132:9 137:10 172:12 172:13 173:6 173:10,11,14 173:21 174:2 192:16 198:20 section 203:12 security 36:7 96:5 99:6 see 12:5 21:10 32:3 41:10 52:22 55:17 73:10,11 81:12 82:22 84:1 88:19 90:5 106:9 146:20 157:2,16 161:20 172:8 172:12,15 175:12 183:15 185:7 196:8 seeing 157:12 seek 70:20 152:10 154:20 154:21 156:16 seeking 34:22 42:18,20,22 52:21 70:7 107:15 195:1 204:1</p>	<p>seeks 150:2 seem 130:12 seems 70:15 119:16 120:8 139:14 seen 52:12,15 52:16 102:3 121:9 137:5 148:7 165:12 168:9 187:17 segued 103:7 select 59:5,5 152:9,14 155:10 selected 25:22 selection 162:5 semicolon 55:1 55:10 send 96:6 sense 30:22 31:7 32:1 38:21 40:17 82:15 89:19 116:10 133:4 135:15 172:20 183:4 199:6 208:7 213:18 sent 218:14 sentence 72:22 73:2 110:6 115:10 122:18 123:1,11 128:18 129:8 207:16 210:6</p>	<p>sentences 190:15,21 separate 134:21 135:9 184:4,18 186:8 separated 39:21 separately 61:20 76:12 series 157:14 199:21 200:7 serve 22:5 services 8:9 35:18 98:21 serving 183:2 session 14:16 set 40:19 45:21 122:19 134:16 136:15 177:19 210:19 sets 16:3 23:1 181:10 setting 15:22 30:10 122:2 153:6 settings 134:2 172:6 settled 205:11 210:16 214:19 214:20 settlement 1:4 25:14,16 49:1 49:7 50:19 82:21 83:22 90:16 91:10</p>	<p>115:20 176:11 203:13 210:7,9 210:12,15 211:9,11,19 213:11 214:7 214:13 215:9 215:15 216:4 settlements 49:18 55:22 68:5,6,9 115:13 116:10 170:11 207:18 209:10 212:17 214:3 215:19 216:1,9,12 several 162:15 shaking 143:14 share 17:10 51:7 109:21 129:2 175:20 216:4 sheet 218:11 221:10 shift 59:18 112:13 short 12:3 14:16 22:7 85:12 86:6 88:2 shorthand 2:6 2:8 217:11 show 47:9 50:22 108:7 showed 20:15 37:16</p>
---	---	--	---

<p>showing 54:4 shows 116:14 117:9 118:10 126:9 shrink 89:13 90:2 92:19 shy 71:9 sic 24:3 side 23:5,12 120:6 187:9 sides 119:21 sign 218:12 signature 217:18 221:12 signed 27:18 218:19 silent 108:19 109:4 110:4 silica 29:1 silly 39:19 silos 184:22 similar 21:3 51:2 55:7 63:8 159:10 161:18 165:8 172:9 173:1 175:8 183:11 191:20 202:18 similarly 47:9 106:1 183:12 187:7 simple 94:2 106:6 114:11 161:7 188:16</p>	<p>simplification 168:10 simplifies 168:11 single 99:9 141:12 142:9 161:5 166:18 168:11 171:10 sitting 16:17 35:5 78:21 80:3 101:3,6 108:5 127:9 145:14 146:5 167:7 191:7,8 situation 118:21 size 48:3 58:4 59:2 60:14 61:5 64:5 74:14,15 78:5 79:21 86:12 88:22 90:2,20 92:20 111:3 115:13 116:11 118:15 123:22 sized 183:12 skews 114:5 skip 94:10 skipped 119:16 slightly 74:18 slipped 108:18 slowly 46:6 small 63:14 84:16 111:13 113:13 115:12</p>	<p>115:15 126:14 170:7 smaller 78:13 78:19 86:18 114:12 smallest 63:12 snapshot 157:1 157:15 soc 35:19 social 96:5 solution 116:9 solutions 218:22 solved 161:17 somebody 89:20 99:4 138:10 208:10 soon 48:8 76:9 76:19 105:13 sorry 20:19,22 53:8 54:7 146:8 205:17 sort 12:12 22:5 22:12 37:17 62:17 73:12 94:20 143:9 sorts 159:16 sotto 51:9 102:1 sought 148:6 source 182:8,10 sources 123:18 124:2 132:4 133:2,20 134:11,20</p>	<p>south 5:11 spahr 6:11 speak 19:7 107:4 speaks 50:17 specialized 35:15,17 36:2 36:3 specific 30:3,21 36:9 43:5 79:4 83:11 93:2 104:1 170:21 185:22 190:11 215:16 specifically 19:5 50:2 54:22 129:3 131:19 162:17 163:2 164:7 187:3 191:13 192:3 194:3 211:22 specifics 32:4 172:9 187:6 specified 121:2 185:4 specify 119:3 specifying 111:22 specter 97:8 speculating 196:9 speculation 196:10,14,19 196:21</p>
---	--	--	---

<p>spend 19:3 79:13 142:1 168:7</p> <p>spent 19:1 121:5,15</p> <p>spoke 19:9 53:3 131:13</p> <p>spot 50:19</p> <p>spring 70:6</p> <p>square 74:13 74:16</p> <p>squeeze 203:8</p> <p>stage 212:22</p> <p>stand 139:17</p> <p>standard 114:10 115:12 115:13 122:10</p> <p>standards 92:8</p> <p>standpoint 208:16</p> <p>stargatt 6:3</p> <p>start 20:13 29:11 37:2 47:19 48:5,8 49:5 64:16 75:4 76:19 79:14 81:5 84:13 93:3 96:14 98:5 103:14 140:3 151:8,17,21 169:3 197:19 197:20 198:1</p> <p>started 17:2 44:7</p>	<p>starting 43:1 66:10 139:5 160:18</p> <p>starts 38:9</p> <p>state 1:19 2:13 28:20 106:18 130:10 183:10 185:3 186:20 191:16 208:5 209:14 211:22 217:20</p> <p>stated 40:7 78:5 134:9 137:10,15 186:14</p> <p>statement 86:3 103:22 104:19 105:5 185:10 190:11 191:9 191:11 208:2,3 209:8 212:7</p> <p>statement's 208:16</p> <p>statements 120:16 191:13</p> <p>states 1:1 106:17 156:4</p> <p>stating 120:18</p> <p>statistical 16:6 64:2 74:13 77:18 82:11,12 89:16 118:22 122:9,21</p> <p>statistically 119:22 161:18</p>	<p>173:4</p> <p>statistician 70:16 88:21</p> <p>statisticians 77:21</p> <p>statistics 15:20 16:9 116:22</p> <p>status 161:2 184:21</p> <p>stays 109:4 110:4</p> <p>stem 34:20</p> <p>stenographer 11:11,14 54:14 217:7</p> <p>step 85:3 144:6</p> <p>steps 45:8 122:5 164:2 167:15 169:7</p> <p>stick 93:6,11 153:11</p> <p>stop 14:21 29:14,15 50:9 57:22 199:14 199:16,18</p> <p>stopped 49:22 50:8</p> <p>straightforward 15:16 122:1,15 122:16 185:5 186:12</p> <p>strange 138:2</p> <p>stratification 93:20 121:11</p>	<p>stratified 93:8 116:12 200:21 201:4 203:2,11</p> <p>stratify 116:21 117:3,5</p> <p>stratifying 94:1</p> <p>straw 12:4</p> <p>streamline 199:10</p> <p>street 3:11 4:7 5:11 6:5,13,19 7:16</p> <p>strength 32:20 135:21</p> <p>strict 183:7</p> <p>strike 45:3 162:11 213:20</p> <p>strokes 38:14</p> <p>structure 164:1</p> <p>struggle 88:8</p> <p>studied 110:9</p> <p>study 128:3,12</p> <p>style 58:19</p> <p>subgroups 106:2</p> <p>subject 150:5 162:11</p> <p>subjective 168:16</p> <p>submitted 16:13 145:7,21 147:4,5,8,9,12 148:5,18 176:16,22 189:19 191:2</p>
--	--	--	---

<p>subpoena 10:12 51:1,12 150:1,5,7 154:20,21 155:3 156:15 172:3 174:18 175:1,3,7 177:13 179:10 187:13,20 194:2 196:12 196:16 subpoenaed 160:6 subpoenas 42:21 52:5,21 70:5 183:14 186:19 195:18 subpopulation 48:1,9 76:3,21 91:15 105:14 113:21 114:14 114:17 128:3 155:11,13,14 155:15,17 182:18,19 193:12 subpopulations 76:20,22 77:3 105:19 107:16 128:11 131:12 131:16,19 133:13,15 subscribed 221:13</p>	<p>subsequent 151:15 subset 42:18 48:13 54:2 76:10 84:14 106:4 134:16 154:15 159:14 159:18 172:15 173:12 179:15 183:8 subsets 48:14 68:2 substance 34:10 221:9 substantial 122:20 182:3 184:13 185:1 substantially 90:11 183:11 183:12 substantively 122:20 196:16 suddenly 63:22 sued 136:16 suffers 111:17 suffices 104:11 104:11 sufficiency 23:12 39:7 sufficient 29:12 32:15,22 38:4 38:16 40:6 48:4 56:4 57:6 57:7 63:3,5 64:6,10 65:1,3</p>	<p>76:21 77:15 83:3,6 84:5 88:2,6,14 90:3 92:8,11,17 106:17,22 158:20 160:3 169:22 178:10 181:13 201:11 203:20 sufficiently 30:1 64:21 86:8 92:6 100:9 suggest 88:1 suggesting 117:16 suite 3:11,18 5:5,11 7:6 supervision 217:12 supplement 85:10 91:4,5 160:5 supplemental 21:13,18 supporting 190:22 supports 206:13 suppose 164:22 supposed 137:14 140:15 164:12 suppressing 50:4</p>	<p>suppression 179:7 sure 14:17 16:20 17:6 18:3,11 30:18 36:3 41:6 49:11 53:10 60:12 86:4 97:20 99:17 132:10 134:14 146:9,11 149:5 178:15 190:5 190:20 214:11 214:21 surprised 36:20 survey 156:5 suspect 154:12 suspicious 121:4 sutcliffe 4:4 swings 89:11 switch 72:4,6 131:9 sworn 11:10,16 217:7 221:13 system 41:18 47:16 50:18 62:2 79:9,12 97:16 98:11 99:21 100:6 101:5 157:10 164:16 177:1 184:8</p>
---	---	---	--

<p>systems 2:8 98:9,14,22</p> <p>t</p> <p>t 217:1,1 219:1 220:1</p> <p>tabulated 70:2</p> <p>tabulation 145:15</p> <p>tabulations 106:6</p> <p>tainted 49:17</p> <p>take 14:17,21 40:17 41:2 43:3 51:20 58:18 60:22 61:2 62:18 65:8 66:5 74:15,17 77:1 78:9 89:8 96:12 102:9 112:8 124:20 125:2,3,13 130:22 153:18 159:21 170:10 174:18 188:17 196:1 198:13 199:3,9,22 200:4 207:9 215:8</p> <p>taken 36:8 65:13 131:4 170:21 217:5 221:6</p> <p>takes 188:16</p>	<p>talk 12:17 19:11 34:16 39:19 52:3 73:20 74:10 78:2 83:19 85:14 92:22 94:11 95:9 99:19 104:16 117:22 128:11 129:9 131:16 132:2,5,20 153:12 188:14 215:5</p> <p>talked 18:18 19:17,18 67:6 73:8 98:7 105:7 138:18</p> <p>talking 39:13 43:4 60:3 66:1 73:4 74:1 87:22 91:21 96:21 98:19 99:17 103:2 111:2,3,12 119:9 128:6 129:3 131:11 133:1 144:22 182:16 214:22</p> <p>talks 52:20 55:17 119:19 133:4</p> <p>tall 111:11</p> <p>tananbaum 8:20</p>	<p>taper 46:4</p> <p>targeted 42:18 84:21</p> <p>targeting 44:7</p> <p>task 213:3</p> <p>taylor 6:3</p> <p>tdp 163:21 164:1</p> <p>tdps 164:7</p> <p>team 16:17,19 18:18 19:10,17 19:19 102:7</p> <p>technical 98:21</p> <p>technicalities 21:3,6</p> <p>technological 35:17</p> <p>technologies 7:12 8:21 32:6</p> <p>tell 11:11 13:11 14:6,11 34:9 36:19 50:12 80:9,11,13,18 86:2 88:21 89:10 98:22 103:12 141:11 151:10 164:7 165:12 169:15 178:14,15 199:14,16,17 200:14 217:8</p> <p>telling 117:4</p> <p>tells 80:8</p> <p>temporal 150:16 207:21</p>	<p>207:22 208:9 210:1</p> <p>ten 196:4,6</p> <p>tend 170:14,16</p> <p>tenfold 111:2 111:13</p> <p>tennessee 2:13</p> <p>tens 81:16,18 81:22 82:3 142:6,8 165:10</p> <p>term 55:15,15 55:17 57:7 88:7</p> <p>terms 16:5 20:7 26:3 30:14 41:9 58:14 60:16 64:5 71:2 130:1 149:22 171:20 176:9 177:4 189:3</p> <p>terrific 14:13</p> <p>testified 11:12 145:6 154:8 173:7 212:10 213:21</p> <p>testify 188:3</p> <p>testifying 12:21 152:19,21 153:6</p> <p>testimony 21:10 30:12 43:9 44:11 54:21 55:5 64:4 69:11</p>
---	--	---	---

80:1,2 83:2	132:20,22	174:20 187:22	thursday 20:11
84:3 87:22	137:2,9 156:9	188:4,13 195:9	tied 39:7
88:17 100:2	169:1,2 170:13	197:14 198:13	till 209:18
106:15 145:12	170:15 195:21	199:11 202:4	time 2:5,7 13:2
217:9,14 218:9	199:3 202:7	203:19 205:7	13:3 14:18
218:17	211:20 212:14	205:19 206:12	19:3 20:12
thank 11:14,17	think 12:3 13:9	209:1,3 212:9	24:13,17 31:17
71:12 127:17	14:5,22 21:4	213:17 214:15	32:19 33:11
200:8 206:22	21:16,22 29:4	thinking	49:1,7 72:2
207:1	33:16,17 34:6	143:16	78:16 80:11
thankfully	34:9 35:6 36:2	thinks 77:15	82:21 89:10
101:6	38:8 40:8 41:2	third 29:4	90:16 97:11
thanks 216:16	41:7 42:1,2	53:17 62:22	101:8 109:5
216:17	44:14 56:17	110:13 197:16	112:8,13
theme 34:18	60:10 64:8,9	197:18,22	116:18 121:5
theory 47:14	64:11 68:17	thirds 28:6	127:22 129:1,6
142:2 164:15	73:16 76:4	29:2	131:1 133:8
164:18 165:5,6	77:13 85:12	thomas 3:18	137:10 141:6
thereof 217:16	87:13 88:7,9	thousand	141:18 142:12
thing 32:19	95:22 98:11	108:11	144:4 150:16
53:3 73:22	100:5 101:2,3	thousands	152:12 156:22
89:17 99:17	101:12 102:21	41:18,21 68:22	157:13,14,16
126:1 139:17	105:1 107:13	109:17,17	160:22 176:10
156:12	108:16,18	181:22	176:11 188:16
things 39:22	110:21 111:14	three 28:6	188:20 191:4
45:20 56:16	127:22 128:4	42:21 53:12,20	192:16,17
74:21 78:18	131:18 134:4	53:21 61:6	194:22 195:10
79:10 86:13	137:20 138:9	74:22 75:1	198:1 206:20
87:19 105:8	140:14,21	80:12 139:7,16	207:10,10
110:16 112:13	142:17 145:5	141:4 150:13	208:10 210:4,7
114:6,13 116:7	145:14 146:2	184:7 214:18	210:9,14
118:19 124:3	151:14 152:11	threefold 80:21	213:10 214:18
125:11,14	153:12 156:16	thrown 92:7	214:20 216:16
127:10 129:5	161:16 165:9	thrust 49:1	217:5 218:18
130:8,10	167:1,2 174:9	52:2	

<p>timeframe 218:8</p> <p>timeline 209:16</p> <p>times 61:6 66:1 74:22 75:1 80:15 85:18 99:1 124:15,15 138:18 139:7 139:16 140:22 141:5 152:8 165:21 169:2 171:4</p> <p>tip 190:3</p> <p>tn 1:20 217:21</p> <p>today 12:4 15:1 19:4,8 20:1 23:2 78:21 80:3 98:15 101:7 102:7 138:19 140:9 145:5 167:8 191:8 207:10 210:2</p> <p>today's 12:12</p> <p>together 63:10 70:6</p> <p>told 12:9 102:16 103:8 111:6 118:4</p> <p>took 60:21 66:4 170:18</p> <p>tools 118:22</p> <p>top 25:21 82:12 97:12 215:10</p>	<p>topic 19:19 20:7 23:20 110:19</p> <p>topics 152:10 152:12</p> <p>tort 15:22 24:6 24:9,15 41:18 44:9 47:16 49:17,18 50:18 62:2 79:9,12 94:8 142:1 157:10 164:16 168:6 177:1 184:8 212:5</p> <p>torts 24:12</p> <p>total 71:9 146:10 151:2 155:12,17 163:20</p> <p>totality 15:12 43:5 46:11 50:3 61:16 75:11 107:8 135:19 158:1 170:5,8 171:11 172:14 176:12 177:4 180:3 190:19 207:19 209:11 210:2,5</p> <p>towards 29:22 113:18</p> <p>tower 121:18</p> <p>traces 194:8</p> <p>track 101:13</p>	<p>trade 40:2 204:17 206:11</p> <p>trades 128:20 176:5</p> <p>traditional 59:18</p> <p>trained 15:19</p> <p>training 16:10 35:15,20,22 36:5 99:2</p> <p>trane 7:12,12 8:20</p> <p>transcribed 217:12</p> <p>transcript 14:9 218:6,19</p> <p>transcription 217:13 221:5</p> <p>transferred 1:6</p> <p>transfers 21:6</p> <p>transitioning 214:14</p> <p>treat 61:20</p> <p>trend 204:15</p> <p>trends 157:3,16 171:20 177:5 204:8,9,18 206:7,15</p> <p>tried 42:2 191:13</p> <p>trip 87:13</p> <p>triple 74:18 80:12,13,18 81:8,10,14,17 142:13 147:19</p>	<p>165:7</p> <p>tripling 62:11 62:13 81:10 87:13 138:19 139:3 140:4</p> <p>trivial 97:3</p> <p>true 49:21 94:6 134:3 141:11 154:1 216:14 217:13</p> <p>trust 1:4 6:10 8:2 11:19 12:2 22:3 34:20,21 35:1 43:17 44:19 46:8 51:3 53:16 56:10,13 57:13 62:21 90:22 91:6,19 96:6 144:2 148:2 154:13 159:6 159:10 160:20 178:17 179:15 180:12 181:13 182:11 183:5,8 185:21 186:3 186:13 205:1 207:17 208:17 209:9</p> <p>trusts 40:15 41:19 43:6,11 44:12,16 64:18 104:4 126:16 145:22 147:5 147:10,14</p>
--	---	---	---

<p>148:5,19 155:6 155:7 160:16 161:13 176:13 178:21 179:19 185:15 194:6 195:22 200:11 212:3 truth 11:11,11 11:12 217:8,8 217:9 try 40:5 45:1 65:8 69:4 84:7 84:22 88:19 129:13 130:3 141:6 168:22 196:10 199:3 199:10 200:3 201:21 207:9 trying 29:22 47:14 53:11 54:19 60:9 90:18 112:15 112:16 116:16 117:20,21 118:11 120:3 132:19 150:4 174:14 179:2 199:5,8 203:8 204:20 205:10 turn 31:14 49:21 62:17 63:5 67:21 72:17 92:21 93:21 94:6 101:9 105:11</p>	<p>131:20,22 178:19 203:18 turned 113:21 turning 78:2 178:3 turns 58:19 59:2,3 61:3 86:22 twenty 183:18 183:20 two 16:9,11 21:8 23:1,1,3 28:6,11 29:2 34:17,18 39:20 44:5 79:10,13 79:15 83:10 85:3 87:3 97:11 104:1,2 104:5,13 108:12 109:14 119:2 123:17 135:14,16 136:3,15,16 137:21 138:1 139:6 141:4 145:7,13 148:10,12 149:6,7,14,16 149:20 152:7 160:19 162:2 164:19 181:4 183:3 184:4,8 184:17 190:15 190:20,21</p>	<p>type 24:6 35:14 36:10 46:15 47:7 60:1 93:9 176:2,8 194:10 types 45:10 59:6 82:12 93:18 122:22 166:14 typical 63:10 63:15 195:22 typically 134:2 134:4 138:9 142:1 151:16 172:10 177:19 210:20</p> <hr/> <p style="text-align: center;">u</p> <hr/> <p>u.s. 7:12 29:1 ultimate 15:13 78:14 87:1 161:8 163:3,7 163:10,12,18 165:16 ultimately 16:20 27:18 80:7 86:2 92:12 134:12 135:12 167:7 168:4,7,12 171:18 180:11 195:13 206:2 212:13 213:3,6 uncertain 185:18 uncertainty 46:21 57:12</p>	<p>61:7,10 62:12 62:12,13 74:19 75:1 80:10,12 80:14,15,20 81:1,4,9,10,11 81:17,18,19 82:1,5,12,13,16 87:14 90:12 123:13 138:19 138:20,21 139:4 140:3,4 140:5,12 141:9 142:4,5,14,16 142:17,20 164:22 165:2,7 165:9 166:2,12 166:15,21 167:3 unclear 120:4 uncommon 121:9 under 12:22 16:3,22 47:13 56:17 57:2 61:11 104:22 115:16 116:1 165:5,5 188:9 217:9,12 221:7 underlies 16:11 underlying 16:10 32:12 34:22 56:11 68:9 125:8 144:3 157:16 164:19 209:4</p>
--	---	--	---

<p>understand 12:21 13:2,10 13:11,14 15:17 29:17 30:11 33:17 60:9,10 96:11 118:13 120:13 129:22 134:15 136:5 139:14,20 146:16 148:21 164:11 185:11 185:15 194:7 195:14 205:14 211:13 213:16 215:18,22 216:3,7 understanding 13:20 14:2 59:19 68:13 117:10 126:10 211:8 understood 13:16 14:9,12 113:3 undertook 215:9 undisclosed 113:9 unfeasible 89:15 unit 135:11 136:1 united 1:1 156:4</p>	<p>universal 103:22 104:19 universe 26:17 43:5 44:4,8,10 66:2,10 68:20 72:9,13 85:2 105:16 125:20 159:21 unknown 62:13 91:18 116:18 unnecessary 88:9 usable 178:3 use 18:19 31:3 31:5,17,20,21 33:15 39:16 41:9 57:8 69:4 73:20 76:7 77:22 83:17 93:16 104:6,10 105:1,5,22 112:16 117:21 119:21 122:12 124:15,16 156:8 160:4 161:11 170:5,7 170:8,9,14,16 171:11,21 176:15 177:2 180:4,6,9 181:3 184:6 205:8 208:8 used 18:20 24:4 32:8 38:13 56:18 104:5</p>	<p>118:14,17 120:13,17 122:9 154:9 163:22 178:6 192:10 218:19 useful 92:15 uses 47:7 104:18 119:11 using 15:9,11 31:2 32:16 47:11 72:9 88:14 90:18,22 112:5 126:2,4 135:3 140:12 150:22 152:16 153:1 159:18 171:4,5 182:11 195:6 199:7 usually 77:20 114:12 169:4 utilized 27:4,12 32:9,11 33:6 34:1</p> <hr/> <p style="text-align: center;">v</p> <hr/> <p>v 1:7 9:4 10:4 218:4 219:4 220:4 validated 58:20 valuation 45:19 value 63:14 94:5 112:15 126:7 135:15 161:21 163:16 163:17,20 164:6 169:18</p>	<p>169:20 valuing 67:15 variable 117:3 117:6 variables 82:7 117:3 variation 116:13 variations 83:20 varies 47:17 various 16:3 98:1 128:19 129:9 153:21 156:1 vast 79:20 172:18 verbal 12:15 verify 218:9 veritext 218:14 218:22 veritext.com. 218:15 versa 161:22 version 12:12 154:16 158:5 158:10,13,15 versus 28:1,20 28:22 29:1,5 34:14 58:3 60:13 73:18 176:11 verus 8:2,9 11:19 12:2 43:6,10,17</p>
--	--	---	---

<p>44:11,16,20,20 97:18 101:4 160:14 209:9 vice 8:20 161:22 view 29:20 37:13,13 85:3 85:9 138:7 166:6 195:8 violate 161:14 virtual 113:16 voce 51:9 102:1 volume 31:17 volunteer 110:1</p>	<p>132:12 133:16 134:3,15 135:18 139:9 140:17 146:8 146:11 157:2,3 161:2 162:9 164:13 177:22 178:7 179:19 181:7 200:3 201:19 206:11 wanted 18:3 43:20 131:17 wants 100:11 186:3,14 washington 1:19 2:3,12 3:19 4:8 11:4 70:7 217:20 way 13:20 14:6 28:7 29:2 40:5 45:1 60:10 77:2 78:4 88:16,19 91:20 96:1 120:21 121:16 153:22 162:22 166:7 168:15 170:18 184:16 191:6 201:16 ways 68:7 105:6 165:19 175:17 we've 20:15 73:8 99:3,7 138:22 139:6</p>	<p>146:2,3 172:9 173:19 185:16 weathersby 5:3 week 20:12 weight 97:10 welcome 205:21 went 86:10 109:18 151:6 151:15 180:7 western 1:1 white 8:19 35:21 96:2,9 96:12,16,21 97:17 99:14 100:3 109:13 126:17 151:11 152:3,22 158:3 158:7,9,21 168:17 171:9 171:22 174:6 175:14 176:15 177:14 white's 97:16 98:9,14 99:21 100:3 wilmington 4:16 6:6,14 wish 79:21 witness 9:16,18 11:16 21:2 27:5,7,14,16,20 27:22 28:3,5,8 28:10,15,16,18 38:6,8 49:13</p>	<p>64:11 88:6 95:2,4 103:1 128:13,15 132:15 137:18 139:13 141:3 143:20 149:2 153:10 159:4 160:10 168:3 168:20 181:7 193:21 201:15 202:16 206:1 206:21 207:1 209:1,22 212:22 215:14 216:19 217:6 217:10,14 218:8,10,12,18 219:2 220:2 221:1 women 112:10 wondering 199:2 word 64:9 103:11 169:13 208:8 wording 21:1 words 77:17 88:15 work 16:17,21 23:5,20 29:9 29:22 30:9 35:3 40:12 45:14,22 60:6 61:21 62:7 63:9,22 64:21</p>
w			
<p>wacker 7:6 waived 184:19 walk 102:13 want 22:22 23:3 31:11 33:5 41:7 46:9 46:21,22 48:6 50:12,22 52:13 52:18 61:15 63:6 72:21 75:13 76:11 77:6,16,22 79:6,7 87:20 89:14 94:11 96:9 97:3 99:16 102:13 102:14,15,19 103:7 118:3 126:5 128:12</p>			

[work - zoom]

Page 56

65:4 73:7	119:1 120:20	young 6:3
79:11 82:2	120:21 132:8	z
87:3,14,19	wrote 128:1	zero 39:15 89:9
88:15,18 89:2	129:21 205:9	115:17 137:7
89:4 91:3	wyner 10:18	197:15 210:21
92:16 128:3	21:13 59:9	zoom 3:17 6:12
151:17 155:5	74:12 75:9	7:4,14 8:19,21
165:11 168:21	76:4 77:13	17:9 52:1
170:17 171:1	101:17 121:5	
188:19 192:14	133:1 157:5	
201:7,8 202:17	189:14,18	
203:17 215:19	190:8	
worked 202:13	wyner's 19:15	
working 26:17	19:20 101:10	
89:8,9 98:1	102:8 132:10	
151:9,13,21	191:2,9	
180:15	x	
workings 187:8	x 1:3,9,12	
world 1:3 9:4	y	
10:4 17:9 52:1	ycst.com 6:8	
99:10 116:4	yeah 18:12	
168:10 218:4	21:21 22:16	
219:4 220:4	27:10 39:6	
worth 44:12	52:6 73:4 77:8	
57:16	100:18 132:18	
worthwhile	136:22 139:8	
120:10	143:15 145:12	
write 130:16,19	year 46:16	
188:16	years 46:18	
writing 137:6,8	59:17 112:11	
192:22	yep 28:15 52:7	
written 120:15	york 2:11,12	
184:16	8:14,14	
wrong 107:9		
112:12 118:22		