

10/18/2023	640	<p>An official transcript Re: 593 Motion of Domestic and International Class Representatives for Summary Judgement. 605 Motion of Plaintiff to Partially Strike portions of the affidavit of Frantz Balan under Federal Rules of Evidence 602 and 702. 630 Motion of Plaintiff to Exclude newly disclosed Dennis exhibits and related testimony. 591 Motion of Domestic & International Class Representatives to Exclude Testimony of Dr. Cameron E. Freer as Inadmissible under Daubert. 619 Motion of Domestic and International Class Representatives to Strike the affidavits of Jean Louis Sorondo and Stephen B. Darr pursuant to FRCP 37(c)(1) and preclude their testimony, or alternatively, to Reschedule the Daubert hearing, Amend the deadline for expert disclosure and Increase the budget of Class Defendants' for legal fees and expert costs heard on 10/11/23 has been filed. Pursuant to Judicial Conference Policy, electronic access to transcripts is restricted for 90 days from the date of filing. The transcript is available for inspection at the Clerk's Office or a copy may be purchased from the transcriber. Contact the ECR Operator for transcriber information. Parties have until 11/8/2023 to file a Request for Redaction with the Court. If no request is filed, the transcript may be made available electronically on 01/17/2024. (eScribers, LLC) Modified on 10/18/2023 (lc). (Entered: 10/18/2023)</p>
------------	-----	--

